

Direct and Cross Examination of Neurologist Gary Kay, PhD in a Traumatic Brain Injury Case

May 4, 2018.

This is the redacted trial transcript of Gary Kay's testimony in a case tried in April, 2018 in the Circuit Court of Fairfax County. This transcript includes the direct and cross examination of **Dr Kay**, a local neuropsychologist who is hired with some frequency to testify on behalf of insurance companies in cases where a traumatic brain injury is alleged to have occurred.

This transcript may be valuable and important to lawyers who are facing Dr. Kay in a trial and we will be happy to provide the unredacted copy to those lawyers. (This copy does not have either our client or the young defendant identified. Neither deserved to have to go through this trial.)

Dr. Kay testified that his testing revealed, contrary to the opinions of the treating neurologist and the treating neuropsychologist, that the plaintiff has "awesome memory for guy his age."

State Farm, who hired Dr. Gay to evaluate the plaintiff and testify against him, never offered a penny to settle the case.

The jury returned a verdict of \$450,950. State Farm did not appeal the verdict.

Additional transcript from this trial is available at BrianInjuryTrialTranscript.com

Unredacted transcript and other information about Dr. Kay is available to plaintiff's attorneys from

BenGlassLaw
3915 Old Lee Highway, 22B
Fairfax, VA 22030
info@benglasslaw.com

V I R G I N I A

IN THE CIRCUIT COURT OF FAIRFAX COUNTY

- - - - - x
:

BARRY PLAINTIFF, :

:

 Plaintiff, :

:

 -vs- : CL-2017-0000832

:

BENJAMIN T. DEFENDANT, :

:

 Defendant. :

:

- - - - - x

Circuit Courtroom 4J
Fairfax County Courthouse
Fairfax, Virginia

Wednesday, April 18, 2018

The above-entitled matter came on to be heard before the HONORABLE RANDY I. BELLOWS, Judge, in and for the Circuit Court of Fairfax County, in the Courthouse, Fairfax, Virginia, beginning at 10:00 o'clock a.m.

APPEARANCES:

On Behalf of the Plaintiff:

JAMES S. ABRENIO, ESQUIRE
BENJAMIN GLASS, ESQUIRE

On Behalf of the Defendant:

HEATHER K. BARDOT, ESQUIRE

* * * * *

C O N T E N T S

WITNESS	DIRECT	CROSS	REDIRECT	RE CROSS
PEDER K. MELBERG	9	27	54	-
GARY KAY	63	150	207	-
MARK MILLER, M.D.	223	252	258	-
BARRY PLAINTIFF	260	-	-	-

* * * * *

E X H I B I T S

	FOR IDENTIFICATION	IN EVIDENCE
Plaintiff's Exhibit No. 10 (Mr. Melberg's Resume)	12	12
Defendant's Exhibit No. 3 (Dr. Kay's CV)	75	75
Defendant's Exhibit No. 2 (Dr. Miller's CV)	228	228

1 Ms. Bardot is up at the podium calling a witness, it means
2 it's part of her case, and obviously when Mr. Abrenio and
3 Mr. Glass are up it's part of their case, and there's
4 going to be a little going back and forth.

5 So the Plaintiff is not done with their case
6 yet. They will be resuming tomorrow, possibly even today
7 if Ms. Bardot finishes early. But that's what we're
8 doing.

9 Ms. Bardot, you may call your witness.

10 MS. BARDOT: Right. Just for clarity, I will
11 be putting on three experts today: Dr. Kay first, who I
12 will call now, and Dr. Miller, and Dr. Tuwiner.

13 THE COURT: Okay.

14 MS. BARDOT: Thank you.

15 Whereupon

16 GARY KAY

17 a witness, was called for examination by counsel on behalf
18 of the Defendant, and having been duly sworn by the Clerk
19 of the Court, was examined and testified, as follows:

20 DIRECT EXAMINATION

21 BY MS. BARDOT:

22 Q Dr. Kay, if that binder is in your way, please
23 feel free to move it out of your way.

1 A Sure.

2 Q Could you please state your full name and your
3 business address for the jury.

4 A Sure. My name is Gary Glen Kay, and my office
5 is located at 4900 Massachusetts Avenue, Northwest, Suite
6 240, Washington, D.C.

7 Q What is the name of your practice?

8 A Washington Neuropsychological Institute.

9 Q Can you please tell the jury your educational
10 background, and if you need to refer to your CV, it's
11 Exhibit 3 in the white binder.

12 A So my background is that I went to UCLA
13 undergraduate, studied psycho-biology, and then for
14 graduate school I went to Memphis State University, which
15 is now called the University of Memphis, and they have a
16 joint program with the University of Tennessee School of
17 Medicine in what was called neuroclinical psychology, what
18 we call neuropsychology.

19 It was a specialty program toward a Ph.D., and
20 I finished my Master's and my Ph.D. in that program.

21 And while I was doing that I was in the Health
22 Professional Scholarship Program with the U.S. Navy. So
23 upon completion of graduate school I came out here to

1 Bethesda, Maryland, for my internship at the Naval
2 Hospital at Bethesda.

3 And then upon completion of the internship, I
4 was at the Navy Hospital in San Diego, Balboa Naval
5 Hospital, and Director of Neuropsychological Testing and
6 Psychology.

7 Q Did you serve in the military as well?

8 A Yes, I did.

9 Q Can you tell the jury what your military
10 service was?

11 A So I began with the scholarship program and
12 then as Director of Psychological Testing at Balboa Naval
13 Hospital, and then when I came back here, my reserve
14 opportunities, I kind of didn't want to work at Bethesda,
15 do what I did every day at Georgetown, so I took my
16 opportunity with the Naval Investigative Service, which is
17 now NCIS, but it was NIS, and I was the behavioral science
18 consultant to the regional office bureau for NIS for I
19 guess a couple -- two or three years in reserve duty.

20 Q Following --

21 A I left as a Lieutenant Commander.

22 Q Following that, can you tell the jury what
23 your work history has been?

1 A Certainly. So after I came off of active duty
2 and moved out here, for about one year I joined -- the
3 former head of training of psychology at the Bethesda
4 Naval Hospital invited me to join him -- he was
5 retiring -- to join him in practice in Bethesda.

6 So I did that for about a year and then got
7 offered an incredible opportunity to go to Georgetown's
8 Department of Neurology to establish the Neuropsychology
9 Division -- this was about 1985/1986 -- at Georgetown.

10 So they really wanted a neuropsychology
11 presence in the Department of Neurology, so I established
12 that, founded that department, and stayed there full time
13 until about 1999.

14 And then I -- we continued to provide service
15 to Georgetown. We rented space in the Department of
16 Neurology but moved off campus. I took the
17 neuropsychologists with me and we opened an office not far
18 from Georgetown. And that was called Georgetown
19 Neuropsychology Associates.

20 And then after about two or three years with
21 that, I opened the Washington Neuropsychological Institute
22 and doing research of the pharmaceutical companies, doing
23 a lot of aviation neuropsychology.

1 And then a colleague from the NIMH who was
2 frustrated like I was with doing big clinical trials for
3 pharmaceutical companies and working with these big
4 contractors who actually run those projects but don't have
5 a background in the kind of testing that we do, called
6 cognition -- this colleague and I went down and started a
7 company down in Florida -- St. Petersburg, Florida --
8 called Cognitive Research Corporation, and that was
9 founded in 2006.

10 That's called Cognitive Research Corporation,
11 which is a CRO, contract research organization. We're the
12 people who design and monitor and report on clinical
13 research trials to the Food and Drug Administration,
14 sometimes to the Federal Trade Commission.

15 We have contracts with the National Highway
16 Transportation Safety Administration. A lot of our work
17 is focused on driving safety and the impact that
18 medications have on driving.

19 Q Do you have any teaching positions?

20 A I still have one -- I am not active at all --
21 at Georgetown. I've never kind of resigned or anything.
22 I still am listed as Associate Professor of Neurology.

23 More of my teaching -- I do a lot of teaching,

1 a lot of continuing education teaching to psychologists
2 and neuropsychologists.

3 I actually organize and run an annual aviation
4 psychology seminar on behalf -- really for the Federal
5 Aviation Administration, but we invite psychologists and
6 neuropsychologists from across -- around the world come
7 for that training, which we've been doing for six years.

8 Q Are you licensed in your profession?

9 A I am.

10 Q What licensure do you hold and in which
11 jurisdictions?

12 A I'm licensed as a psychologist in the District
13 of Columbia and in the State of Maryland.

14 Q What does it mean to be Board Certified?

15 A It means that you're recognized for your
16 competence and expertise within your field, that people
17 have reviewed your credentials, you've submitted a work
18 sample, you've taken a written examination, you've taken
19 an oral examination as well.

20 That's the typical process. And I'm a Board
21 Certificate Diplomate in the American Board of
22 Professional Neuropsychology, so I have the ABN diploma.

23 I also have a diploma from the American Board

1 of Assessment Psychology, which is more recognition for
2 the work that I've done in aviation neuropsychology.

3 I developed a test called CogScreen. I'm the
4 author of that test. And that test is used around the
5 globe for evaluating pilots. It's a requirement for
6 pilots with head trauma, HIV, depression, ADHD. So the
7 work I've done in test development led to the ABAP
8 diploma.

9 Q Can you explain to the jury what the field of
10 neuropsychology entails?

11 A Certainly. So unlike -- you know, you're
12 familiar with the clinical psychologists and therapists.
13 One of the real specialties of psychology is assessment,
14 and psychologists for a long time would do a personality
15 assessment, things like the Rorschach, which are commonly
16 known, the MMPI for personality disorders.

17 But beginning -- really, it became quite
18 popular beginning in the '40s after World War II, and
19 after that really there was a need for evaluating brain
20 function and understanding that the tests that are used
21 for measuring things like intelligence and other aspects
22 of thinking could be used to evaluate the severity of
23 damage to the brain, to identify lesions located in the

1 brain.

2 So neuropsychologists before there were MRI
3 scans and CT scans -- that's how old I am -- basically we
4 assisted neurosurgeons -- I worked within a neurosurgery
5 office in Memphis, Tennessee, where we would help identify
6 the location of lesions before they would go ahead and do
7 treatment for a tumor or for epilepsy.

8 So these tests were found to be sensitive to
9 the presence or absence of brain dysfunction, to the
10 severity of such dysfunction, and could be used to
11 localize the brain's function by using behavioral tests.

12 So neuropsychologists became very involved in
13 assessment of brain function and some neuropsychologists
14 are involved as well in rehabilitation of patients who
15 have a stroke or seizures, epilepsy.

16 And so those are the kinds of things. But
17 really what neuropsychology is involved in is evaluating
18 brain function using standardized tests.

19 Q How much of your work is forensic work,
20 meaning evaluating cases that are in litigation and
21 providing expert testimony?

22 A Well, as I said, I'm very involved -- my
23 primary company is a research company down in Florida, and

1 that represents probably easily 60 percent or more of my
2 time -- maybe 65 percent.

3 So that leaves my clinical practice, about 35
4 percent of my time, or maybe even less, because I'm still
5 the publisher of the CogScreen test and I have to handle
6 all the issues related to that test and test development.

7 Of my limited clinical time, about half of the
8 income that I generate from clinical comes from forensic,
9 but it probably doesn't represent half of my time. It's
10 just more lucrative than the work that I do as a
11 consultant to the Federal Aviation Administration, pays me
12 \$100 an hour I'm embarrassed to say, and I get a better
13 income from the work that I do in forensics, so it's helps
14 cover the loss leaders of what I do. I mean -- so that's
15 kind of it.

16 Q What do you charge hourly for forensic work?

17 A I think at the time that I saw Mr. PLAINTIFF
18 it was \$350 an hour.

19 Q Other than writing the protocol that you
20 talked about a moment ago, do you write any other
21 standardized testing in your field?

22 A Yeah. I'm involved with, you know, the
23 CogScreen test. I was an author on the manual for the

1 Wisconsin Card Sort Test.

2 I developed a version of Trail Making, a test
3 called Trail C.

4 I developed a memory test that I called the
5 Name Face Memory Test.

6 And I've worked on other -- worked on tests in
7 psychology called Boundary Violations that was published.

8 Q Are some of those tests in fact tests that
9 were administered to Mr. PLAINTIFF by both you and Dr.
10 Wilken?

11 A Well, the Wisconsin -- actually I didn't re-
12 administer the Wisconsin Card Sort Test because you
13 shouldn't administer that more than once. So that was
14 administered by Dr. Wilken.

15 I don't think there's -- we both administered
16 some tests that are in common, but in terms of tests that
17 I authored or wrote the manuals on, I don't think so.

18 Q Do you in your field of practice train other
19 neuropsychologists how to administer and score tests?

20 A All the time. That's a big part of my life,
21 is training neuropsychologists. Right now I've just
22 traveled for the last five weeks hitting research sites
23 around the country, training the neuropsychologists how to

1 do a standardized battery for a new Alzheimer's Drug and
2 the whole, you know, group of testers supposed to
3 administer it in a very standardized way so we can see if
4 the drug is safe and effective.

5 I've got another trial where I was training
6 people how to do testing for looking at a new migraine
7 drug. And before that I was Director -- at Georgetown I
8 ran the post-doctoral training program which trained
9 neuropsychologists typically over a two year period.

10 They would come to Georgetown for two years of
11 training under my supervision.

12 Q Did you, in fact, train Dr. Wilken?

13 A Yes.

14 Q In a calendar year, on average how many times
15 would you say you administer neuropsychological testing?

16 A I would say, you know, at least twice a week,
17 and probably, you know, 45 or close -- over 45 weeks a
18 year, so at least 90 times a year I'm administering myself
19 neuropsychological testing.

20 Q When doing the neuropsychological testing, is
21 the protocol different depending on whether it is a
22 patient or whether it's a forensic case?

23 A It actually differs by -- even by the kind of

1 patient you see. So if I have a patient who is coming to
2 me for evaluation of Alzheimer's, I'm going to use tests
3 that are appropriate for that kind of evaluation. If I'm
4 doing --

5 Q So let's see -- I don't mean to cut you off,
6 but all other things being equal, you've got the same kind
7 of patient with the same kind of complaints, traumatic
8 brain injury for instance -- if they're a patient or if
9 they're forensic, do you evaluate them the same or is
10 there a different protocol?

11 A Well, there's a different standard in a
12 forensic case. There are certain things that we're kind
13 of considered responsible to do by our ethics.

14 And one of them is that in a forensic case you
15 will test the patient's effort, you'll do -- effort
16 testing will be included in your test battery.

17 So I have colleagues who do effort testing all
18 the time, but I'm seeing -- mostly airline pilots are my
19 predominant patients that I evaluate.

20 I saw an airline pilot yesterday in fact and I
21 did not give the Captain any effort testing. He was
22 extremely motivated to get back to the cockpit. So
23 there's, you know, no question about their motivation and

1 effort.

2 In a forensic case, whether I am working on
3 Plaintiff's side or on the defense side, I'm held
4 responsible for doing effort testing. So that's one area
5 of difference.

6 I think the only other one I can say off the
7 top of my head would be I would not do an abbreviated test
8 when I'm doing forensic. I give the full test. I don't
9 want to be challenged because I only gave part of a test.

10 I might short things if it wasn't forensic
11 where I wasn't going to be challenged.

12 Q Could you look in the white binder at Exhibit
13 No. 3 and let me know if that represents your curriculum
14 vitae?

15 MR. GLASS: Judge, we stipulate that it is and
16 I have no objection to its admissibility.

17 MS. BARDOT: I would move that in then.

18 THE COURT: Okay. It's in.

19 (The document referred to above was
20 marked as Defendant's Exhibit No. 3, for
21 identification, and received in
22 evidence.)

23 MS. BARDOT: Your Honor, could I put the

1 document screen on, please.

2 BY MS. BARDOT:

3 Q I'm showing you Page 6 of Exhibit No. 3. It
4 should come up on your screen, Dr. Kay.

5 THE COURT: I don't think it has yet.

6 Okay.

7 BY MS. BARDOT:

8 Q Dr. Kay, do you want to look on your screen
9 right there in front of you?

10 A Oh, I was looking way over there, but I really
11 can't see that very well. Okay.

12 Q Can you not?

13 A Yes, I can see this one (indicating).

14 Q Okay. Those would be two presentations that
15 you wrote, one on assessment of frontal -- two
16 presentations that you gave; one on assessment of frontal
17 lobe dysfunction and one on neuropsychological study of
18 cognitive functioning and sleep apnea; correct?

19 A Correct.

20 Q So you have given presentations regarding how
21 sleep apnea affects neuropsychological testing?

22 A Yes. And I've written quite a bit on the area
23 too.

1 Q I'm going to show you Page 7. This would be
2 another presentation that you gave -- correct? -- effects
3 of hypoxia on neuropsychological testing in patients with
4 obstructive sleep apnea?

5 A That's correct.

6 Q This would be an area of expertise for you;
7 correct?

8 A Absolutely.

9 Q Now, I asked you to get involved in this case
10 to conduct neuropsychological testing to determine whether
11 Mr. PLAINTIFF suffers from cognitive deficits which could
12 be related to an automobile accident of June 10, 2015;
13 correct?

14 A That's right.

15 Q In order to conduct a thorough review of the
16 case, can you let the jury know what records you reviewed?

17 A You provided me records from his primary care
18 doctor named Feola. There were records from Dr. Kayloe,
19 neurologist. There were reports -- well, one of the
20 reports from Dr. Wilken; the other one Mr. PLAINTIFF
21 kindly provided to me when he came to see me because it
22 wasn't included.

23 There was a record from his hospital visit.

1 There was a record from his ENT. There was a record of a
2 deposition of Dr. Feola, and there was a deposition of Mr.
3 PLAINTIFF.

4 There were some interrogatories. There was --
5 let me think what else was in there.

6 Q You got all the subpoenaed records, two
7 binders like this (indicating); correct?

8 A Correct.

9 Q Regardless of whether or not they were
10 helpful?

11 A Correct.

12 Q And you reviewed all of the documents that
13 were sent to you in order to make sure that you had a
14 thorough background; is that fair?

15 A Well, when I would see documents that are not
16 applicable or relevant for neuropsychology, I would flip
17 on and continue. But yes, they were -- I saw that they
18 were there. I didn't necessarily utilize them.

19 Q Okay. You looked at Dr. Wilken's test results
20 and also the raw data; is that correct?

21 A Eventually, yeah.

22 Q Can you explain to the jury what the raw data
23 is?

1 A Sure. So when I'm administering or Dr. Wilken
2 is administering the test where you're actually recording
3 Mr. PLAINTIFF's responses, where you actually do the
4 scoring, that's the raw data. So these are answer sheets
5 is another way to think of it.

6 The answer sheets and the forms -- let's say
7 there was a drawing test where he would actually draw on
8 the form, that would be the raw data.

9 Q Are those materials the type of materials that
10 are typically released to non-professionals or are they
11 typically held pretty close to the chest?

12 A For test security reasons, as you can imagine,
13 these tests are used for high stakes decision making,
14 hiring people, making a determination about who is well
15 and able to go back in the cockpit, for example, you don't
16 want these to get out. It would ruin the validity of the
17 test. So we're very careful about release of these tests.

18 Now, with the internet it's kind of
19 frightening about how much has found its way, but we still
20 try our best and we're held ethically responsible by
21 our -- I mean you could lose your license to practice if
22 you were irresponsible in releasing these tests, made them
23 publicly available.

1 Q So usually that raw data is exchanged between
2 neuropsychologists; is that fair?

3 A Correct.

4 Q And you were able to get Dr. Wilken's raw data
5 directly from him so you could consider it in your
6 analysis?

7 A I did.

8 Q How did the raw data inform your opinions, if
9 at all?

10 A Oh, it was critical that I get that because I
11 didn't have all of the scores from his report. He
12 reported some scores in his text, his narrative report,
13 but he didn't really include all the scores. I didn't
14 know where he got percentiles from.

15 So by looking at the actual raw data, I would
16 actually find percentiles that I would need for generating
17 kind of a spreadsheet I create so that I can look across
18 time, how did Mr. PLAINTIFF perform on these measures
19 across time, what were the scores that he obtained -- not
20 just a percentile, but even the raw score, how many did he
21 get right, you know, which I can't tell from looking just
22 at the report that Dr. Wilken wrote.

23 Q Are the records that you have just referred to

1 the type of records which professionals in your profession
2 rely upon to formulate opinions?

3 A Yes.

4 Q Did you also have an opportunity to interview
5 and test Mr. PLAINTIFF?

6 A I did.

7 Q Are those two processes essential to the
8 ability to render a neuropsychological evaluation?

9 A Well, I mean seeing the data -- not in every
10 case -- but in terms of a forensic case and to do the
11 assessment you had requested, yes, it would require that I
12 would have all of the data, have the records, and even let
13 you know if there were other things that I thought that I
14 needed.

15 Q Did you have everything that you believed you
16 needed to do a full assessment of this case?

17 A Yes.

18 Q When did you interview Mr.

19 A ~~PLAINTIFF~~ ~~PLAINTIFF~~ of my evaluation. Where is my --
20 oh, my report here.

21 THE WITNESS (Directed to the Court): May I
22 look, Your Honor?

23 THE COURT: Yes, of course.

1 THE WITNESS: That was on January 17th of this
2 year.

3 BY MS. BARDOT:

4 Q What was his appearance when he arrived?

5 A Nothing out of the ordinary.

6 Q He wasn't --

7 A He was well groomed. He was, you know,
8 dressed appropriately. He came by himself for the
9 evaluation.

10 Q During the interview part of his exam, how
11 long did that last?

12 A I don't usually record it with this degree of
13 specificity, but this time for some reason I wrote down 53
14 minutes. I couldn't tell you why.

15 Q Do you remember anything about his affect
16 during the interview period?

17 A No; just kind of normal interaction,
18 interchange. You know, we had a court reporter. I
19 basically indicated that was a bit unusual. I just
20 explained what the nature and purpose was of the exam and
21 basically he had been through two prior exams.

22 He was very helpful. I mean I didn't have to
23 -- I was at a disadvantage because I didn't know what

1 tests were given most recently. I knew there had been a
2 second test.

3 And he informed me -- he was very informative.
4 He informed me that there had been another exam that had
5 been done and provided it to me, which was great, because
6 it would have been hard to do without that.

7 Q Was there any flatness to his affect during
8 the clinical exam?

9 A Not that I -- that I found or noticed.

10 Q What did he tell you during the interview
11 which was pertinent to the opinions that you formed, and
12 you should feel to look at your report?

13 A Sure. Well, there's a lot that he told me
14 that is pertinent.

15 Q Just walk right through it with me.

16 A Well, importantly for a neuropsychologist
17 first is that he had recall of the time prior to the
18 crash.

19 Q Why is that important?

20 A Because one of the ways we measure severity of
21 head injury is relative to any kind of amnesia that
22 occurs, so we always get a measure of amnesia from before,
23 you know, events that occurred before a crash occurred,

1 and if you remember things that happened the day of, the
2 morning of, the week -- you know, so even go back further.
3 That's called retrograde amnesia.

4 And then we look at, you know, amnesia that
5 occurs after a crash or an incident, you know, for how
6 long a period of time before you regain continuous memory
7 -- anterograde amnesia.

8 And so those measures of retrograde and
9 anterograde amnesia are critically important in evaluating
10 severity and actually predicting outcome. Those are very
11 good predictors of whether somebody will continue to have
12 problems after they have injured their head.

13 Q Did he report to you any retrograde or
14 anterograde amnesia from the accident?

15 A No.

16 Q So you said those would be good predictors of
17 outcome.

18 If a patient had no retrograde or anterograde
19 amnesia, what would you expect? What would your opinion
20 to a reasonable degree of certainty in your field be as to
21 the likelihood of a good outcome?

22 A You would expect a good outcome.

23 Q Go ahead. What else did he tell you that was

1 useful and helpful to you, or important to your opinions?

2 A There was this momentary period that he
3 doesn't recall, which he told me could have been seconds
4 or minutes.

5 He told me in terms of the level of fear,
6 telling me how this impacted him emotionally, he said he
7 actually thought he was going to die, and so that tells me
8 just how frightened he was by this impact. He said he had
9 never felt anything like that before.

10 He remembered, you know, moments after the
11 accident the OnStar system in his car came on and he
12 remembered that.

13 And he told me that he was feeling confused
14 and fuzzy when his wife later took him to INOVA Fairfax
15 Hospital, and that would suggest to me possibly an altered
16 state of consciousness, so if he's not feeling his normal
17 way of thinking, as he says he is feeling fuzzy and
18 confused.

19 And that his wife he told me had told him that
20 he was thinking more slowly, and so another kind of sign
21 that his thinking wasn't all there.

22 He mentioned that Dr. Kayloe -- or he reported
23 to Dr. Kayloe that he had sustained a loss of

1 consciousness in the crash. And so when I hear that, that
2 actually is something which is a predictor too of a more
3 serious head injury, would be a concussion where one loses
4 consciousness, where there is a time where the person is
5 out, okay, non-responding.

6 Q Did you review records to determine whether
7 his report of loss of consciousness was consistent with
8 what was reported after the accident?

9 A The contemporaneous records, the records of
10 the time, don't reflect a -- don't reflect any loss of
11 consciousness. He reportedly did not have a loss of
12 consciousness to the hospital.

13 And so, you know, there's basically a
14 discrepancy there between what is in the records and what
15 he has reported to Dr. Kayloe.

16 Q Did you see Dr. Feola's record from June 10 of
17 2015?

18 A I did.

19 Q Was there also a discrepancy between what he
20 reported to Dr. Kayloe --

21 A Yes.

22 Q -- and what he reported to Dr. Feola as far as
23 loss of consciousness?

1 A I'm sorry. I talked over you. And the answer
2 is yes, he did tell Dr. Feola that he didn't have a loss
3 of consciousness.

4 Q All right. I interrupted you. Go ahead and
5 tell me what else you learned from Mr. PLAINTIFF that you
6 used in your evaluation.

7 A Well, I mean what he told me was that he had
8 had a concussion with loss of consciousness. So that
9 would go into my thinking at the time about how serious
10 his injury was, that he would have a concussion with a
11 loss of consciousness.

12 That confirms -- if it's true, would confirm
13 that he had a concussion and that even that early on with
14 Dr. Kayloe on July 1st -- so just three weeks later -- he
15 was having, you know, headache, cognitive difficulties,
16 some balance difficulties.

17 It's important he had noticed -- he told me he
18 had been prescribed Elavil. This is a drug originally
19 used as an antidepressant, which actually does cause some
20 sedation.

21 People actually sometimes prescribe it for
22 sleep problems. It's off label, but they prescribe it for
23 that. And it does not help your memory. It is actually

1 an anticholinergic drug, so it disrupts memory function,
2 and that would not be helpful. He was having -- but it
3 does sometimes help with pain, like headaches.

4 But he was having daily headaches and memory
5 problems.

6 And then there is the note about him getting a
7 CPAP, which is the treatment -- Continuous Positive Airway
8 Pressure. It's a mask that one wears. There are
9 different kinds. Some just go over the nose, some that go
10 over most of the face.

11 And a CPAP is the most effective treatment we
12 have for obstructive sleep apnea. So there's a mention
13 early on that he was receiving treatment for CPAP.

14 He told me he had seen Dr. Wilken, so I knew
15 that he had seen a neuropsychologist before, and he saw
16 him a second time at 23 months. The first time was eight
17 months after the injury, and a second time at 23 months.

18 One of the first things that Mr. PLAINTIFF and
19 I did is I had Mr. Wilken's -- Dr. Wilken's report there,
20 and I said, "Here's a list of what I pulled from Dr.
21 Wilken's report of the symptoms that you were having. I
22 want to know whether you're still having these symptoms or
23 not."

1 So I would go through with him in a list wise
2 way the different symptoms to find out if he was still
3 having these same problems.

4 Many of the complaints he had relate to
5 difficulties with memory, to difficulty learning or
6 remembering things. He said that he can't recall what
7 he's read, said, or heard, that he can't recall what he's
8 done on that day, he forgets what he's doing. He forgets
9 where he's going when he's driving. He could repeatedly
10 watch a movie without recalling what he had seen before.

11 These are all examples Mr. PLAINTIFF provided
12 me about his memory changes. He said he had trouble
13 keeping track of a plot or characters if reading a book.

14 He said that he had become dependent on taking
15 notes and he needs to write everything down or he'll
16 forget, that if he looks later on at his notes they don't
17 make sense to him sometimes, and so they don't help.

18 He reported that he can only account at best
19 for the past few hours. He finds it hard to reconstruct
20 more than that.

21 So he's describing to me a very serious level
22 of amnesia, amnesia being an acquired memory disorder,
23 difficulty learning and retaining information, being able

1 to then retrieve or even recognize information that --
2 something that occurred before.

3 And there are disorders in neurology that can
4 cause very profound amnesia where people just don't retain
5 the information. So he's describing that.

6 He stated that he has forgotten -- he reported
7 he forgets how to use his cell phone and computer, not as
8 much he said as before, but he was still having that.

9 Now, that's interesting, because that is not
10 remembering content or remembering a narrative, a sequence
11 of events that occurred, but remembering how to do
12 something. It's a totally different area of the brain
13 that does that. It's called procedural memory and it's
14 actually mitigated by totally different structures in the
15 brain.

16 And it would be -- it's highly unusual. There
17 are people who have very serious amnesia -- couldn't tell
18 you what happened yesterday or last week -- but they can
19 still go golfing, they still can -- they don't lose their
20 procedural memory. That was interesting that he said he
21 lost that as well.

22 He said that several times a day he doesn't
23 know where he's at, and that's a suggestion that he has

1 serious problems with orientation, that he's disoriented,
2 and so this can occur even if he's in places which are
3 familiar to him. It's not just in strange places.

4 He said he's dependent upon his GPS. Even
5 familiar places can look unfamiliar. That he spends a lot
6 of time looking for misplaced objects, and he loses track
7 of time, and that his orientation to time varies over the
8 course of the day.

9 So this is an individual -- and I work with
10 patients with Alzheimer's Disease; we do a lot of
11 Alzheimer's research in our company -- and he's presenting
12 with very serious -- if these were real symptoms, very
13 serious impairments in his memory and his orientation that
14 would be associated with very significant brain damage.

15 Now, he had other cognitive complaints. He
16 told me that he was easily distracted, that if he was
17 doing something he is distracted, it's hard for him to
18 focus on more than one thing at a time.

19 He told me he felt that he was very
20 inefficient at doing things, that things that would have
21 normally taken him minutes were taking him hours.

22 He stated that he would start things and not
23 finish them, that he couldn't organize his papers, his

1 thoughts.

2 So again, he's presenting to me, Ms. Bardot, a
3 very severe degree of impairment, which would be
4 suggestive of extensive brain damage.

5 He said that he had a number of complaints
6 that were more of an emotional nature, that in fact -- and
7 relating to people, so trouble in actually that people
8 were misperceiving him he told me, that people saw him,
9 they think he's angry even when he doesn't feel angry.

10 So in addition to cognitive changes he's
11 telling me that he's having troubles in social perception.
12 He's telling me that he has trouble relating to friends
13 and family, that he doesn't feel his emotions the way he
14 did.

15 So, you know, he had suffered a loss of I
16 think it was a friend and he just didn't have the feelings
17 that he would expect. He said he felt nothing.

18 He told me that he was also uncomfortable
19 around people, didn't socialize with people.

20 So all of this suggested a significant
21 personality change, which would again be consistent with a
22 very serious level of brain damage possibly.

23 He even said that he is no longer an

1 extrovert, which is what he was.

2 Now, on the other hand, I then go into the
3 interview and say -- I want to find out what is he doing,
4 what are the activities that he's engaging in.

5 And he told me that he was responsible for
6 dropping off and picking up his granddaughter from the
7 school bus -- first grade granddaughter -- and had not had
8 any problems dropping her off but that he had to rely upon
9 his alarms -- and he showed me his phone -- to -- on his
10 phone to remind him to pick her up in the afternoon.

11 And then I asked him about his, you know, kind
12 of prior work. I understood that he was an attorney. He
13 told me that he was a workaholic, that he had tried to
14 resume his work activity in March 2017, and, you know,
15 that is a good long time after a June injury; okay?

16 So we're talking, you know, almost 10 months
17 later he tried to go back to work to do some bookkeeping
18 but was discouraged. He didn't feel like he could
19 perform.

20 And he said the inability to work was really
21 something that bothered him because it was a big part of
22 his identity, and that he's been told by his neurologist
23 that it's unlikely he'll ever return to law, and he

1 indicated that that was very difficult, as you can
2 imagine, for him.

3 I asked him about as well, I wanted to know
4 what kind of rehabilitation he had done, had he seen any
5 kind of rehabilitation doctor, and he told me he had
6 not -- there were insurance problems but he had done some
7 online -- you guys hear about, like, Lumosity and some of
8 these things that you can get to to advertise -- some of
9 them are in trouble with the Federal Trade Commission --
10 their claims.

11 But the fact is that he had tried some of
12 these activities online but hadn't done any, you know, one
13 to one working with a cognitive therapist, something that
14 he said was on his agenda, that he would want to do it.

15 Lastly, I wanted to know about how he was
16 feeling physically, and he told me that he continued to
17 experience frequent headaches, particularly if he kind of
18 over-exerted himself, you know, that they were aggravated
19 by planning things, by paying bills, they don't occur as
20 much if he is watching TV, and they've gotten worse over
21 the last few months he told me, and that that was being
22 addressed by his neurologist.

23 I was wondering if he was in pain, and he said

1 the pain was less now in his left arm than following the
2 crash, and that the pain in his leg, lower back, neck, and
3 shoulders had resolved.

4 Because one of the things, in neuropsychology
5 if you're doing testing, if somebody is in pain, they're
6 going to have a hard time focusing. So he did not
7 indicate that he was having that problem when I saw him.

8 I always want to know what kind of medication
9 somebody is taking. He told me he was taking thyroid
10 replacement. That's important and good, because if your
11 thyroid is very low that can affect your cognition,
12 particularly your attention and memory.

13 He is taking a drug to lower cholesterol,
14 Propranolol, which is a drug sometimes used for
15 hypertension, sometimes used for anxiety.

16 He was prescribed Vivance, which is an
17 amphetamine, that is used for treatment of ADHD in
18 children and in adults.

19 And he is prescribed Elavil, which is an
20 antidepressant, but as I said before, sometimes doctors
21 give it for pain or for sleep, but it actually is not good
22 for your memory.

23 We don't let pilots, for example, take -- we

1 do let them take some antidepressants. We prohibit them
2 from taking Elavil, because it causes cognitive problems.

3 He didn't mention taking any medication for
4 his diabetes.

5 I asked him about his sleep apnea, because as
6 you heard, it's something which I have published and
7 written about quite a bit. So he said he was treated
8 surgically in 2006 or 2007, that he uses his CPAP, but not
9 to the extent he would like.

10 A lot of people find it very difficult to keep
11 that mask on, and it's very important. I was involved in
12 a very major trial looking at what benefits there are from
13 chronic CPAP use and how much it changes cognition. It
14 really is important to be regularly using it, and you lose
15 that benefit after a couple of days of not using it.

16 So he said he did tolerate the mask, usually
17 around three hours of mask time per night. He told me he
18 doesn't feel daytime sleepiness, but he's been -- he had
19 been in the past prescribed a drug specifically for
20 treatment of the daytime sleepiness that can continue to
21 occur in patients who are even still getting CPAP, and
22 that's a drug called Provigil or a newer version of it
23 called Nuvigil.

1 And he had been prescribed that, but he had
2 been switched to Adderall, which is a stimulant, and then
3 to Videx, a different stimulant, and if he doesn't take
4 that stimulant, he feels more run down.

5 He told me he had never had a prior head
6 injury. That's very important for a neuropsychologist to
7 know.

8 And he did not mention anything about
9 previously being diagnosed with Attention Deficit
10 Disorder.

11 He didn't mention he had any prior medical
12 problems with memory or with fatigue. He didn't tell me
13 that those problems preexisted.

14 Q Were you able to determine after you did your
15 interview through your review of records that in fact
16 there was a prior history of fatigue and memory issues?

17 A Yes.

18 Q Did you take that into account as you
19 evaluated Mr. PLAINTIFF?

20 A Absolutely.

21 Q What did you learn from your review of records
22 was the prior history with regard to fatigue and memory
23 issues?

1 A That those basically go back to about 2000. I
2 mean we're talking that 18 years earlier -- this is from
3 the deposition of Dr. John Feola -- that on a visit on
4 October 19th, 2000, it mentions he's got a history of
5 sleep apnea, headaches, obesity, hypothyroidism.

6 He considered him to be at 70 percent due to
7 his fatigue it lists on there, memory loss. He was
8 without chest pain and vertigo.

9 And a quote from -- "He had been complaining
10 of short-term memory loss, which isn't uncommon in" and
11 that's the end of the quote, obstructive sleep apnea.

12 So that's, you know, clearly documented 18
13 years ago.

14 Q Did you note whether or not the complaints of
15 memory loss and fatigue continued up until the time of the
16 accident?

17 A Yes. I find complaints of -- you know,
18 related to attention and memory. He was being seen in
19 2013 for all -- for Attention Deficit Disorder and
20 fatigue. He was again 70 percent of his capability
21 according to the doctor.

22 He was needing to follow up on ENT regarding
23 CPAP. He was still symptomatic.

1 You know, he was having headache, tension-
2 based, bothered him for two weeks.

3 Later he developed another condition which is
4 a neuropsychological condition of Type 2 diabetes, which
5 is known to have effects on cognition as well.

6 In August of 2015 he was listed by his doctor
7 as being at 50 percent of his capacity.

8 Q When was that?

9 A August 11th, 2015.

10 MR. GLASS: Your Honor, the doctor can testify
11 to what he reviewed and can give his opinions. But -- and
12 I let him go. But he's reciting hearsay now. This stuff
13 is not in evidence. I know the jury has heard some of it.
14 But procedurally he's giving hearsay versus what opinions
15 he derived from those.

16 THE COURT: Okay. You're talking about the
17 factual statement just now?

18 MR. GLASS: Yes, sir.

19 THE COURT: Okay.

20 MS. BARDOT: I believe all of this has come
21 into evidence through other people at this point. I don't
22 think he's gone over any history that's not been put into
23 evidence.

1 THE COURT: But are you referring specifically
2 to the reference that he just testified to, the 2015?

3 MR. GLASS: Well, I should have jumped up when
4 he was reading Dr. Feola's deposition which isn't in
5 evidence. But --

6 THE COURT: Okay. That was a while ago.

7 MR. GLASS: That was a while ago. I let that
8 go.

9 THE COURT: All right.

10 MR. GLASS: But yes --

11 MS. BARDOT: I'll move on.

12 THE COURT: Okay.

13 BY MS. BARDOT:

14 Q So you've reviewed the entirety of the records
15 and found the history that you've just explained; correct?

16 A Correct.

17 Q Once you interviewed Mr. PLAINTIFF, got the
18 history from the record, did you also -- well, let me back
19 up.

20 As you interviewed Mr. PLAINTIFF for this
21 almost one hour period, did he have to use any notes to
22 recall what he conveyed to you?

23 A No, ma'am.

1 Q Did you perceive any difficulties in his
2 ability to recall or provide you with information during
3 your interview?

4 A No.

5 Q Did you notice any halting speech during your
6 clinical interview or at any time during your examination?

7 A No. During the entire day we spent he was
8 fluent in his speech and his speech was connected and
9 organized.

10 He didn't talk off topic, have tangential
11 speech, or seem to be looking for words. There's a
12 word -- use circumstantiality -- for somebody that can't
13 think that this is a bottle. They go, "Well, it's a thing
14 and you put water in it and you could carry it around and
15 they sell them and they're made out of plastic," and
16 they'd say -- because they can't think of the word bottle.
17 There was none of that.

18 Q How long did you test him for?

19 A I don't remember right now. Probably -- I
20 mean the exam normally takes most of the day, so it would
21 have been until the afternoon.

22 Q Who administered the actual testing?

23 A Me.

1 Q Do you always do your own testing?

2 A Yes.

3 Q Do you have an opinion to a reasonable degree
4 of certainty in your field as to whether it's better to do
5 your own testing or have somebody else do your testing?

6 MR. GLASS: Objection. That's not something
7 that's been designated.

8 THE COURT: Sustained.

9 BY MS. BARDOT:

10 Q Why do you do your own testing?

11 A By doing my own testing, I can -- if I listen
12 to his complaints, then I can make sure that I'm getting
13 the right tests, that I can add a test to the battery
14 based on his performance on a test, so I can actually
15 tailor the exam to what is occurring in the exam.

16 Now, if I have a student doing it or just a
17 paid technician doing it -- which we're not allowed to do
18 in the District of Columbia -- then in fact there's no
19 alteration. It's like, "Just give this battery of tests.
20 This is what you're going to give." You tell the student
21 to do it and the student gives the testing.

22 Q Once you did your testing and then reviewed
23 Dr. Wilken's testing, did you create a spreadsheet that

1 showed the different testing over the period?

2 A I did.

3 Q That's part of your report that we've
4 designated; correct?

5 A Yes.

6 Q If I could show you up on the screen, is that
7 this document that's appended to the back of your report?

8 A That's correct.

9 MS. BARDOT: Your Honor, I'm going to use this
10 demonstratively to explain the testing and what his
11 results were and what he found.

12 THE COURT: Is there any objection?

13 MR. GLASS: No, sir.

14 THE COURT: Okay.

15 BY MS. BARDOT:

16 Q So let's walk through your report. And to the
17 extent it is helpful to refer to the spreadsheet that I
18 put up, would you do that?

19 A Absolutely.

20 Q And as we walk through this report, I want you
21 to give your opinions only to the extent that you are
22 comfortable giving them to a reasonable degree of
23 certainty in your field; okay?

1 A I understand.

2 Q The first nine pages of your report go through
3 your clinical interview and your review of records;
4 correct?

5 A That's right.

6 Q If we go to the bottom of Page 9 of your
7 report, it says, mental status examination, test behavior,
8 test effort.

9 Do you see that?

10 A I do.

11 Q Tell me what you captured there and what you
12 found and what the purpose of that was.

13 A So this is an area where in your report you
14 describe what you have observed in the person's behavior,
15 in their mood, their effort, and how hard are they trying,
16 their level of anxiety, were they particularly nervous,
17 how upset were they.

18 But you really describe the quality of their
19 speech in terms of volume, was it fluent or halting. And
20 that's what goes into that section of the report.

21 You also look at the person, their grooming
22 and kind of their demeanor. You're really describing how
23 the person was interacting with you. That's apart from

1 the other scores.

2 There is a part about scores in there because
3 we do indicate the results from effort testing sometimes
4 in that section as well, so how does somebody do on tests
5 that are designed to detect if somebody is basically not
6 trying on testing.

7 Q You've already told us about his grooming and
8 his speech.

9 Did you make a determination and come to a
10 conclusion as to his level of effort when you saw him?

11 A Yeah. I felt that he was showing very -- you
12 know, showing normal effort on the testing. I had no
13 doubts about the fact that he was trying to do well on
14 these tests.

15 Q I'm going to ask you first what tests you
16 administered.

17 A Sure.

18 Q Go ahead and just tell the jury.

19 A All right. So the first test -- you've all
20 heard about the IQ tests. The standard IQ test that we
21 give is the Wechsler Adult Intelligence Scale, which
22 currently is the Fourth Edition.

23 And I gave him just the test of processing

1 speed. These were areas where he had had some problem.

2 And I gave him the test of auditory attention
3 called Digit Span. So I repeated just that portion
4 because he had done fine with those before, which you will
5 see when I show you the chart. And --

6 Q Okay. Are those depicted on Page 1 of the
7 chart?

8 A Yes. Page 1 would be really good to show
9 here.

10 Q Go ahead and tell us where that test is
11 captured and what you observed and sort of what you
12 observed over time from the three tests you looked at.

13 A Now I realize this is an Elo touch screen
14 monitor here in front of me, so I would assume that it has
15 touch capability?

16 Q It does.

17 A Okay. Cool. We use the same one for doing
18 testing of pilots. These are very good monitors.
19 Compliments to the Court.

20 (Laughter.)

21 THE WITNESS: Okay. So the tests listed on
22 the left side there are the sub-tests from the Wechsler
23 Adult Intelligence Scale. And you can see -- just to give

1 you an organization for this -- Dr. Wilken's first exam is
2 on the far right. It says, "Dr. Wilken, February 10th,
3 2016."

4 And then Dr. Wilken's second exam, May 9th,
5 2017. And then left is the exam I conducted on January
6 17th, 2018.

7 And I showed where I had the raw score and --
8 actually, what you're looking at here, I would say this
9 version you have here is before I got the raw data.

10 BY MS. BARDOT:

11 Q It is.

12 A So it's devoid of the richness of what I --
13 what we now have.

14 MR. GLASS: May we approach the bench, Your
15 Honor?

16 THE COURT: Yes.

17 BENCH CONFERENCE

18 MR. GLASS: We've never received anything
19 supplementing his initial designation, which was his
20 report. He didn't have the raw data. So to the extent
21 he's now going to offer opinions based upon the raw data,
22 we've never seen the opinions in writing in a
23 supplementation report.

1 So I'm prepared for his report, not
2 supplement.

3 MS. BARDOT: Your Honor, we got the raw data
4 long after the designations were filed. We had to come to
5 court and fight over that.

6 We weren't asked to supplement at that point
7 in time. And I don't think he was able to get the raw
8 data until very recently. Dr. Wilken was not very good in
9 getting that information to him.

10 THE COURT: Do you have to be asked to
11 supplement? Don't you have an obligation to supplement?

12 MS. BARDOT: An expert designation.

13 THE COURT: I think the answer is yes, that
14 you do have an obligation to supplement. And I think
15 you --

16 MS. BARDOT: Well, you allowed their
17 experts --

18 THE COURT: Let me just finish.

19 But I think you have objected to some -- in
20 some cases their experts going beyond their expert
21 designation. I think I've sustained some of your
22 objections.

23 MS. BARDOT: No. You've allowed it. For

1 instance, with Peder Melberg, he looked at an abundance of
2 documentation that was never referenced in any of his
3 reports in getting ready for trial today, and he was able
4 to testify as to all those things and he was able to put
5 in fact information from those things into the record,
6 even though he didn't consider them in his report.

7 And I objected to it. I said I relied upon
8 what he indicated in his report he had reviewed as the
9 rules require.

10 And you said "Overruled." And you let him go
11 into all those things he had reviewed in getting ready for
12 trial.

13 And you did it with their other experts as
14 well. Dr. Kayloe didn't have any records. She had
15 nothing as she wrote her reports, but she got them all in
16 getting ready for trial and she was allowed to testify to
17 them over objection.

18 And I just ask that I get the same ruling.

19 THE COURT: What's your response to that?

20 MR. GLASS: I don't think there was an
21 objection to Dr. Kayloe. I know what happened with Dr.
22 Melberg.

23 But I think if he's going to give opinions

1 about raw data that he seems to think this is significant
2 now in this case, that we should have been told what the
3 opinions are.

4 THE COURT: The --

5 MS. BARDOT: I mean it's astonishing, because
6 I know what happened with Melberg. "Yeah, we get that
7 benefit but you don't."

8 THE COURT: Well, but it was also my
9 recollection that I sustained some of her objections as to
10 beyond the expert designation.

11 Do you disagree with that? I thought I had
12 sustained some of them.

13 MR. GLASS: Correct. When we were doing it
14 you sustained her objections. We weren't allowed to do
15 it. That's what I'm asking you to do now.

16 MS. BARDOT: That was only with regard to
17 their expert giving rebuttal to information which wasn't
18 in evidence.

19 Peder Melberg clearly just testified to
20 documents he hadn't reviewed when he wrote his report,
21 that he never supplemented, that he reviewed in order to
22 get ready for trial. You overruled my objection.

23 THE COURT: All right. I'll allow it.

1 MS. BARDOT: Thank you.

2 THE COURT: Go ahead.

3 OPEN COURT

4 BY MS. BARDOT:

5 Q Dr. Kay, just give me one moment, please.

6 A Sure.

7 Q Is this better for purposes of allowing you to
8 make comparisons?

9 A Far more accurate, yes.

10 Q Okay. So we were talking then about the
11 testing that you had done for intelligence I believe; is
12 that accurate?

13 A True.

14 Q So get us back on track if you could please.

15 A Okay. So this -- to get you guys back
16 oriented --

17 THE CLERK: Is this to be published?

18 THE COURT: What's that?

19 THE CLERK: Is this to be published? This one
20 wasn't identified as a --

21 MS. BARDOT: Yes, just for demonstrative.

22 THE COURT: Okay.

23 MR. GLASS: Just to be clear, may I have a

1 continuing objection to --

2 THE COURT: Yes. You have a continuing
3 objection to the raw data -- to him referring to the raw
4 data. That's my understanding.

5 MR. GLASS: Yes, sir.

6 THE COURT: Okay.

7 MR. GLASS: I'm not sure exactly what he's
8 going to do with it, but to the extent he's critical of
9 the way Dr. Wilken did it, I'm going to have an additional
10 objection on that.

11 THE COURT: Okay. Well, we'll get to that.

12 MR. GLASS: I'll see.

13 THE COURT: Okay. Go ahead.

14 BY MS. BARDOT:

15 Q Go ahead, Dr. Kay.

16 A Okay. So basically this is the data I
17 obtained from the review of -- when I had -- finally had
18 the raw data from Dr. Wilken I transposed the scores,
19 which you're certainly welcome to go and check every one
20 of my scores on here -- and basically showing the raw
21 score obtained on the test, and then it shows for ease and
22 simplicity the percentile.

23 The percentile for you guys on the jury would

1 be your first percentile is like the lowest score let's
2 say of a hundred people.

3 And then the score at the other end is the guy
4 out of 100 who has the top score of 100 people, and 50 is
5 the average; right? Okay. So that tells you -- again,
6 you're looking for high scores there.

7 And when we look here, the -- if we actually
8 start not at the very bottom, because that's just a
9 measure of word reading, but above that, we start off with
10 at the bottom of that whole column full scale IQ -- you
11 all hear about IQ scores -- those are very normal kind of
12 scores, 105, 110. He got 110 at May 9th and 105 on
13 February 10th.

14 His working memory, which is his ability to
15 concentrate -- and I'm showing -- actually I'll put it on
16 here. So this right here (indicating), it's working
17 memory, 108. This is his performance with Dr. Wilken on
18 May 9th, and that's at the 70th percentile for his age.

19 You can see that it wasn't as high when he was
20 tested back in February of 2016. And that is something
21 which we definitely focus on.

22 Let me erase. It says erase. I can erase it.
23 You have to touch the corner on the screen.

1 THE COURT: Do you want to get rid of that
2 circle? Is that what you're trying to do?

3 THE WITNESS: Yes. Okay. I was trying to
4 show you that score.

5 The other score very important in measuring
6 somebody with a head injury would be how quickly they're
7 responding to things, how quickly they're processing
8 information. That's referred to as processing speed. So
9 you see that measure here, processing speed.

10 And when he was first tested on that, he had a
11 score back in 2016 at the 70th percentile. He was
12 complaining of being very slow. But in fact, only 30
13 percent of people his age could do better than him.

14 When he came back and saw Dr. Wilken the
15 second time in 2017, his score dropped to the 30th
16 percentile, so he had this dramatic decline in performance
17 from 2016 to 2017.

18 When he was given the exact same test by
19 myself, you'll see here he went to the 95th percentile.
20 So at this point when I saw him only five percent of
21 people his age could do as well as he could do with
22 respect to speed of processing information.

23 Q In your opinion, is that which you just

1 outlined consistent with what you would expect to see in a
2 traumatic brain injury -- in a mild traumatic brain
3 injury?

4 A No.

5 Q And can you explain why not.

6 A Well, processing speed, people feel -- well,
7 actually with kind of more of a moderate TBI at maybe six,
8 eight months like this, if this was a moderate TBI, maybe
9 I would see a decline at eight months, more like what he
10 showed in 2017, so the person would be below average.

11 But then you would expect that they would get
12 better over time, so maybe that 70th percentile -- these
13 are like in the wrong order. They just don't make sense
14 like this. You don't get worse as a result of your
15 traumatic brain injury, and a very mild TBI would not
16 produce an insignificant deficit.

17 So you can see actually at eight months injury
18 he's fine. He's 70th percentile. There's no deficiency.

19 The only time he shows a deficiency is in
20 2017, and in fact in 2018 in spite of that interview and
21 describing to me how impaired he is, we see that he's
22 actually at the 95th percentile.

23 Q Now, is there any particular test related to

1 general intelligence that you can show on this chart which
2 is particularly sensitive to measuring traumatic brain
3 injury and the ongoing effects of it?

4 A That's kind of a funny question, because
5 actually general intelligence is not sensitive to
6 traumatic brain injury. We've published that since the
7 1960's. We've known that actually more specific tests are
8 needed to evaluate changes in brain function, that just
9 relying on IQ was not very good.

10 And so in fact we did much better by using
11 measures which we later discovered to be sensitive to the
12 severity of injury and to recovery from injury. But your
13 general IQ is not.

14 Q Then you looked at attention, and in
15 particular processing speed; is that correct?

16 A Yes. So the measures that are -- again, from
17 this, from the WAIS, the measures which are most sensitive
18 to recovery in a TBI would be processing speed like we
19 talked about and the working memory.

20 Q Tell us what you found with respect to working
21 memory and what conclusions you drew as a result of what
22 you observed.

23 A Well, you can see that his scores with Dr.

1 Wilken were completely normal on the working memory.
2 That's your ability to hold information in your mind
3 temporarily.

4 And specifically, the sub-tests that go into
5 this working memory are -- you see a sub-test on here
6 called arithmetic I'm going to circle now. Okay? And his
7 scores on that were very excellent. This is actually
8 holding information in your mind.

9 He doesn't get to see the math problems. He
10 doesn't get to use a calculator. You know, you read a
11 problem to him. If I have 35 toys and seven children, how
12 many does each child get? Okay? You do it in your head.
13 Mental arithmetic.

14 And so you have to actually hold the
15 information and do an operation on it in your head, no
16 paper.

17 So that's considered working memory, and his
18 score at the first evaluation of Dr. Wilken, 75th
19 percentile. The second evaluation, 95.

20 So he listens. He holds the information in
21 his head. He processes the information and then he gives
22 his answer within a certain amount of time.

23 Q Do you have an opinion as to whether that is

1 consistent with ongoing sequelae of a traumatic brain
2 injury?

3 A Well, there would be -- it would be consistent
4 with having normal working memory and actually very good
5 working memory -- auditory working memory, except we look
6 and we see something which is odd up here -- one which is
7 certainly odd -- which is his ability just to repeat
8 digits.

9 Q Can you explain what that test is, how it's
10 done?

11 A Very simple. So if I say to you, "Listen to
12 these numbers and when I stop repeat them back to me:
13 three, five, seven." You would say, "Three, five, seven."

14 So with that, you're not doing any operation
15 on it at all. You're not changing it around or converting
16 it. You're just repeating it back.

17 Psychologists who have studied this refer to
18 it as span of apprehension. It's not even sensitive.
19 Intellectually deficient people often can still do it. It
20 doesn't really -- it's just the ability to repeat
21 something back. You don't do any operation.

22 And typically most of have the ability to
23 repeat back seven digits, plus or minus two. It's a good

1 thing our phone numbers were seven digits at one time.

2 All right.

3 He was only able to repeat back five digits,
4 and he repeated five digits at both the 2016 and 2017
5 exam. With me he got up to six, which is more normal.
6 Twenty-five percent of people can do at least that much,
7 but not a great score.

8 So on this just repeating things back, he was
9 a bit weak, and he had been even weaker when tested by Dr.
10 Wilken.

11 Q You said that was unusual. What opinion did
12 you draw with regard to why that was unusual?

13 A Well, I would say that the digit span part
14 doesn't get me particularly excited. The digits backward,
15 though, getting only four, that is more unusual. So he
16 was able to repeat, you know, just four digits backward,
17 and that is working memory. Yet his working memory --
18 it's inconsistent with the arithmetic score.

19 So we have two scores that kind of don't --
20 which are highly correlated. Normally people who score
21 well in one score well in the other, so the scores move
22 together.

23 And here we get this separation that doesn't

1 really make sense. That's what I'm pointing out as being
2 odd.

3 With me he still had trouble with it, only got
4 to five digits backward. He was just able to repeat five
5 digits in reverse order.

6 Q Did that lead you to draw any conclusions as
7 to what that was consistent with?

8 A Just some oddity of attention is what I took
9 it to be, that obviously he has the capability of holding
10 numbers in his head and even doing operations on it, which
11 are far more, you know, demanding than simply repeating
12 the numbers forward or backward.

13 Q You've also on this chart under 5/9/2017
14 highlighted the symbol search in the coding.

15 Can you tell me what significance, if any, you
16 drew with respect to the testing over time --

17 A Sure.

18 Q -- on those two measures?

19 A So processing speed, which you saw was very
20 good, is based on performance on symbol search and coding.
21 Okay?

22 Symbol search, you basically look at symbols,
23 two symbols, and then you have a line of symbols and you

1 basically mark whether the two on the left are represented
2 by the ground on the right, so it's just a cancellation
3 test, just a visual perceptual speed. Do as many as you
4 can for two minutes.

5 So he got 34 of them correct when he was
6 tested in 2016. But he dropped down, only getting 24, in
7 the same time interval tested by Dr. Wilken, when tested
8 in 2017.

9 So there was a decline from the 75th
10 percentile to the 25th percentile. That's a huge drop,
11 you know, suggesting there is something very different
12 about him when he's tested in 2017, that there's a decline
13 in his speed of processing.

14 The same thing held for coding, which is a
15 test where you're just doing -- you've got these nine
16 number and symbol pairs, and then below you just have
17 numbers; you have to copy into a blank underneath the
18 number the corresponding symbol.

19 You do as many as you can for two minutes, and
20 he got 65 in 2016 and he only got 56 when retested in
21 2017. But --

22 Q Did you reach a conclusion or an opinion to a
23 reasonable degree of certainty in your field what the

1 declines in the symbol search and the coding were
2 consistent with?

3 A Well, it's not consistent with recovery from
4 traumatic brain injury. There's something else going on
5 is the answer that you get. When you look at data like
6 this, very clearly you look for an explanation. This is
7 not TBI.

8 Q Why do you say that?

9 A Well, in TBI you either would stay the same if
10 you're not recovering further, or you would get better.
11 You wouldn't decline this significantly. It's beyond the
12 variability that people have just from day to day.

13 Q Is there anything else on this chart that was
14 significant in drawing your conclusions, or have we pretty
15 much covered that page?

16 A We've pretty much covered that page.

17 Q All right. I'll show you the second page.

18 A Let me clear this.

19 Q This has -- let me see if I can get it all on
20 there. There we go.

21 A Sure.

22 Q This has attention, memory. Those are two
23 areas you test as well; correct?

1 A Correct.

2 Q Walk us through on the attention section what
3 you did, what you found, what conclusions you drew.

4 A So the first test listed under attention is
5 called the trail making test. You may have seen this or
6 heard of it. It basically is a test where you just draw a
7 line, like the kids' dot-to-dot where they make pictures;
8 1 to 2, to 3, to 4, to 5, 6. You're looking around the
9 page for the next number.

10 So it's visual scanning, searching, speed.

11 He does the test in 30 seconds in 2016, which
12 is smack dab middle average range. He takes 49 seconds to
13 do the same test when he repeats it in 2016. That drops
14 him to the third percentile, and that's a huge drop in
15 performance.

16 Q That's simply drawing a line from 1 to 2, to
17 25?

18 A Yes.

19 Q Okay. Then when you saw him, how did he do on
20 that?

21 A So think about it, it's taking about two
22 seconds for a number that he's connecting 1, to find 2, to
23 find 3.

1 this and cry. It's called the Paced Auditory Serial
2 Addition Test.

3 And this is a test where Mr. PLAINTIFF
4 listened to a recording, and basically it's a man's voice,
5 and all you hear on it are numbers one through nine with a
6 space between the numbers. So you hear 3, 7, 5, like
7 that. That's what it sounds like.

8 And what your job is is to add the number you
9 just heard to the one that came before it and say what the
10 total is. So if you hear 3, 7, you would say 10.

11 Q So let me just try --

12 A It's adding what you heard. Yes.

13 Q Doctor, let me try this with you. So let me
14 do this. So it would be like this. You do it.

15 A Okay.

16 Q 3, 7.

17 A 10.

18 Q 5.

19 A 12.

20 Q 4.

21 A 9

22 Q 3.

23 A 7.

1 Q 8.

2 A 11.

3 Q 9.

4 A 17.

5 Q For how long do you do that test?

6 A So there are 49 pairs of numbers. So it's
7 hard, if you think about it, because you're distracted by
8 the number you say, but you're -- I don't know if I got
9 them all right there. I might have gotten them wrong.
10 Who knows? You guys tell me.

11 But the fact is, it's a very challenging test.
12 It's one that we use -- it actually is one that was
13 developed specifically by a woman named Gronwall in
14 Australia to evaluate people's recovery from traumatic
15 brain injury, because it could measure residual effects.

16 Like the person pretty much had recovered, but
17 if you give them this test, you could still show that
18 their brain wasn't totally back yet.

19 Q How did --

20 A So it's a great test. What?

21 Q How did he do on this?

22 A He did fantastic. He got the 45th percentile
23 on series one, which is there is more time between the

1 numbers. Series two there is less time between the
2 numbers.

3 With pilots if you read -- were to read our
4 protocol for evaluating concussion --

5 MR. GLASS: Objection to pilots.

6 THE COURT: Sustained.

7 BY MS. BARDOT:

8 Q Let's try to stick --

9 A Okay. Well, in evaluating concussion recovery
10 we only require series one and two, because that's
11 considered adequate. And what we see is his performance
12 was completely average in the two times that he was tested
13 by Dr. Wilken.

14 So he's not showing any deficiency in his
15 performance on the two series that we give for measuring
16 concussion recovery.

17 Q What is the Conners CPT?

18 A So that's a measure of visual vigilance. So
19 if you want to know -- it's often given in evaluations for
20 ADHD because it is sensitive to ADHD and the treatment of
21 ADHD with stimulant medication.

22 So it's a very long test. It's the most --
23 it's the longest test that he took. Actually on the

1 screen numbers -- rather, letters appear, ones about this
2 big (indicating), one at a time, you know, A through Z.
3 And his whole task is just to hit the space bar whenever
4 he sees a letter presented, except if the letter is "X."
5 He's not to hit the letter "X."

6 So you're supposed to hit every letter as
7 quickly as you can, and we measure how quickly you hit,
8 but don't hit the letter "X."

9 So omissions, referring to if you miss hitting
10 one of the letters, which you shouldn't if you're not
11 falling asleep. Commissions is hitting the X's that
12 you've been told not to hit.

13 And he hits more X's than 26 percent of people
14 on the first testing; more X's than 83 percent of the
15 people on the second time. So that's a little bit -- 87
16 percent of the people -- that's a little bit telling.

17 But, you know, he has a diagnosis of ADHD, but
18 he's on a medication that particularly helps with this
19 test. So he did pretty well. It might be the medication
20 that's helping him.

21 There's two interesting things here, is that
22 over the course of the test or the duration of the test,
23 that 20 minutes, he became less consistent, more variable

1 in his response time as the test went on, because he was
2 starting to get tired, and he did so more than 86 percent
3 of people.

4 The other thing is that when the time between
5 the letters varied, he had trouble kind of keeping up with
6 them. He became more variable with the time differences
7 between letters.

8 And that can refer -- relate to having this
9 ability to stay alert, at the same, maintaining your level
10 of alertness, which you're all struggling to do.

11 So maintaining your level of alertness
12 basically he was, you know, worse than 97 percent of
13 people.

14 Q To what did you attribute those findings?

15 A They're completely classic of ADHD, which he
16 has a diagnosis of.

17 Q Then other memory, what are you doing there?
18 What are you finding?

19 A So under memory on this page what we're
20 looking at is his ability to learn a list of words. So
21 basically you read to him a list of words and you say,
22 "Mr. PLAINTIFF, listen to this list," and you read the
23 list. "Repeat it back to me in any order as many words as

you

1 can."

2 And so the list that Dr. Wilken had
3 administered at his office in 2016, a 16 word list, CBLT,
4 I didn't get the printout so I couldn't see all the
5 scores, but he said that by Trial 5, by the fifth trial,
6 Mr. PLAINTIFF remembered 14 of the 16 words. That's very
7 good. It's the 84th percentile. Only 16 percent of
8 people his age and years of education will do that well.
9 That's a pretty impressive ability to learn.

10 Across the five trials in 2016, you add up the
11 total number of words he recalled, he's at the 88th
12 percentile. Only 12 percent of people are able to do as
13 well as him for his age and years of education.

14 So his ability to learn unstructured, as Dr.
15 Wilken referred to it -- unstructured verbal information
16 is pretty exceptional.

17 And then when we're looking at -- after the
18 trials 1 through 5 it says interference. That's evil
19 psychologists. After we teach you this list of words, we
20 say, "Now I have a second list of words for you, Mr.
21 PLAINTIFF and when I stop, just tell me the words from the
22 second list." He's heard the first list five times.

23 "So don't tell me the items on the first list,

1 just the second list," and he got seven correct. Now,
2 that would be a measure to look for frontal, you know,
3 dysfunction potentially, and he didn't show any decline
4 relative to his initial trial, so he didn't have proactive
5 interference.

6 And then we look at short delay. So
7 immediately after that new list, we say, "Mr. PLAINTIFF,
8 what were the words on the original list? Can you tell
9 those to me now?"

10 He gets nine of those words right back in
11 2016. He actually gets 15 of them right in 2017. That's
12 only two percent of people are going to be able to do
13 that. That's pretty darn impressive; okay? It must help,
14 him training to be a lawyer. All right.

15 And then delayed recall. He gets 11, which is
16 above average; 68th percentile in 2016; 14 out of 16 when
17 he's tested in 2017.

18 So with Dr. Wilken's testing of verbal
19 learning and memory, this guy is pretty extraordinary. He
20 is far above average.

21 Q Let me go to the next page and try to get
22 through this.

23 A Sure. So -- and I saw the same result.

1 Basically he had nearly the same percentile.

2 I gave a totally different word list, called
3 the Rey Auditory Verbal Learning Test, which is 15 words.
4 It's actually harder, because the list that Dr. Wilken
5 gave Mr. PLAINTIFF is a little easier; it actually has
6 groups of items. Some items are furniture items. Some
7 might be animals. Some might be vegetables. Some are
8 spices. Okay?

9 So if you remember in your head, oh, yeah,
10 there are tools, "Oh, yeah. There is a wrench and a
11 chisel and," so it's a little bit easier.

12 With the one I give, there are 15 unrelated
13 words, and he actually did perfectly fine and got really
14 the same scores.

15 Q What's the Heaton Story Learning and what were
16 the outcomes of that testing?

17 A Oh. The Heaton Story is a long story that you
18 tell him. It's about a paragraph long. You read it to
19 him and you ask him to repeat the story back to you, to
20 tell it in the same way that you told it, and then you
21 give him points for the different content that he can
22 recall immediately.

23 Now, you would actually -- for most people,

1 like my person I tested yesterday, you would repeat the
2 story several times until they get at least 15 of the
3 content items. So you might tell them the story three
4 times or four times and then they finally hit the
5 criteria. That's not unusual.

6 He got 16 on the very first telling of the
7 story. So it's very unusual and Dr. Wilken commented on
8 this, he got 16. He didn't get to hear the story a second
9 or third time. So that kind of made it hard to -- because
10 you don't then test the delayed recall for hours later.

11 Q How would you characterize that?

12 A So that's story learning and story memory. He
13 showed amazing auditory working memory. If I tell you a
14 paragraph length story and you can tell me 16 items from
15 that story, you've got a good auditory working memory.

16 Q So let's fast forward down to Brief
17 Visuospatial Memory Test Revised.

18 What is that?

19 A So this is a test where I show him a card with
20 six designs on the card. So I'm going to hold this card
21 right here in front of you for 10 seconds, study this
22 card.

23 Then when I take it away, I want you to

1 reproduce all of the designs and put them where they were
2 initially on the card. You're going to be a human Xerox
3 machine. We take it away.

4 So we do that three times so we can measure
5 his ability to learn this non-verbal -- there are no words
6 here; just non-verbal information, and so -- because he
7 had problems remembering locations, and things like that.

8 His scores were extraordinary. He scored at
9 the 99th percentile on Trial 1 the first time I showed him
10 the card. You can get a possible 12 points. He got 10.
11 He got 11 on Trials 2 and 3, which is 92nd and 87th
12 percentile. His overall total recall is the 96th
13 percentile.

14 Thirty minutes later -- or 25 minutes later, I
15 would say to him -- you know, put this piece of paper in
16 front of him and say, "Remember those designs that you
17 drew for me previously; draw them again." And he scored
18 at the 86th percentile, very impressive.

19 Q So what does that you?

20 A That he's got -- not only does he have good
21 verbal memory, he's got a really good visuospatial memory.

22 Q Go to Page 4, which carries on memory.

23 What am I looking at here? What's

1 significant?

2 A All right. So there's some more scores from
3 the memory tests, and then I gave him a test where he
4 actually was blindfolded and he has blocks in front of
5 him.

6 Q Which test are you referring to?

7 A The Tactual Performance Test.

8 Q Okay.

9 A After he was actually blindfolded, puts the
10 blocks onto the board three times without my ever telling
11 him to memorize it, I put a piece of paper in front of him,
12 and said, "Mr. PLAINTIFF, draw for me all of the shapes
13 you can recall and put them where they were relative to
14 each other on that board" that he never saw -- I mean never
15 has seen to this day.

16 So he did good at remembering the location --
17 actually correctly located six objects. He didn't really
18 make a shot at the other, you know, four. So his total
19 objects recalled is weak, but his location score was
20 really good. So he actually knew the correct location of
21 all six that he had recalled. That's kind of an unusual
22 way to do it.

23 I gave him one other memory test which looks

1 at not your ability to learn and retain, but -- and this
2 is because of his complaints -- not only to be sensitive
3 to his complaints, but something that Dr. Wilken had not
4 tested that I would have tested if he would have seen me
5 as a clinical patient, and that is his prospective memory,
6 his ability to remember what he is planning to do. And --

7 Q Is that what this missed is?

8 A Yes, the missed is. So his overall score on
9 that was weak, and he remembered all of the tasks when I
10 said later on, "What were you supposed to do when I did
11 X?" or, you know, would ask him questions about it, he got
12 all of those right.

13 But in terms of properly doing the task, he
14 would get to where he would look at the little clock that
15 we have and said, "You know, Dr. Kay, I'm supposed to do
16 something in 10 minutes. I can't remember what I'm
17 supposed to do. I remember you told me to do something
18 when it got off to 10; I just can't remember what it is."

19 When there were events that occurred he did
20 better, but looking at the clock and remembering what he
21 was supposed to do when the clock turned 10, he didn't do
22 well. And that --

23 Q What --

1 A -- was consistent. That's one thing that was
2 very consistent with his report, is kind of forgetting to
3 do what he planned on doing.

4 Q Did you draw a conclusion to a reasonable
5 degree of certainty in your field as to what that was
6 consistent with?

7 A Yeah. That's -- in the absence of any deficit
8 of learning and memory, which we've shown, the kind of
9 forgetting to do those things that he's supposed to do,
10 where he'd recognize, "Oh, yeah, that's what I was
11 supposed to do," and in the absence of frontal lobe
12 dysfunction, which we will talk about in a moment, it
13 really suggests to me that he is preoccupied.

14 He -- it's really much more on a psychiatric
15 basis that he just -- he's got other things on his mind
16 that are upsetting him, that are bothering him, and he's
17 like, "Oh, yeah, I was supposed to do that."

18 Q What about psychomotor; what are we looking at
19 there?

20 A Well, I have one other thing. And the other
21 thing that occurred to me was, you know, ADHD patients,
22 one of the most common symptoms -- and I think the
23 Plaintiff actually gave out the ADHD RS as an exhibit --

1 it's an Attention Deficit Hyperactivity sort of scale of
2 symptoms -- one of the most common symptoms of adult ADHD
3 is forgetfulness.

4 I'm sorry. Go ahead.

5 Q No. Go ahead. So what is the psychomotor?

6 A So that was measuring his finger tapping
7 speed. He just goes like this (indicating) as fast as he
8 can, basically clicking on a Morris Code key that's
9 connected to a little counter and we see how many times he
10 can click in periods of 10 seconds and we take his average
11 across five trials, and he was slow -- a little slow on
12 that, 44 when you would expect to get 50 or more.

13 So he's not that far off, but it was slower.
14 I didn't see any of the neurologists or anybody reporting
15 noticing any kind of either weakness or slowness in his
16 motor function before, but I saw this slowing. I'm not
17 sure what it's due to.

18 Q Okay. And how about language? What are you
19 doing there? What are your findings?

20 A So on language I'm measuring his ability to
21 sequence letters from like -- we heard -- talked about
22 before the 1 to 2, to 3, to 4. I developed a version of
23 the test where you go from A to B, to C, to D, and you

1 look -- and normal healthy people who don't have reading
2 problems or language problems or left hemisphere stroke,
3 their score on that would be the same as their score
4 basically on the number sequencing, and he did it. He got
5 about the same score. So there was no problem there.

6 But he did -- it's very good speed. He
7 demonstrated good psychomotor speed.

8 The other test I gave him was a test where we
9 say, "I want you, Mr. PLAINTIFF, to generate as many words
10 as you can think of beginning with the letter" and then we
11 tell him a letter, like it could be D, and he'll go, "dog,
12 donkey."

13 You know, he's not allowed to use capitalized
14 words like Delaware or David, people's names, but we would
15 see how many words he can generate in a minute.

16 Now, Dr. Wilken had given that test in 2016
17 and 2017. For three letters, and you count up the total
18 for the three letters, he got nearly the same score every
19 time he was tested. He got 29 words in 2016, 27 words in
20 2017, and 28 words, which was basic -- or yeah -- 28 words
21 with me using different letters, you know, so we don't
22 give them the same letters each time.

23 So he got about the same score ever time.

1 Q What's the Boston Naming?

2 A Boston Naming is a test where we show him
3 pictures of common objects and say, "What do you call
4 this?" So it's his ability to name objects, pictures of
5 objects.

6 And his scores on that completely -- well,
7 actually his score on that was better in 2016 than in
8 2017, but he only couldn't name three objects in 2017, not
9 a big deal for me.

10 Q Okay.

11 A Just you don't expect somebody to have more
12 trouble, to do that from a head injury.

13 Q Okay. Let's try to move this along.

14 A Sure. So the spatial basically refers to
15 nonverbal problem solving. Like I would show him the
16 picture of -- like let's say it was -- I'll mention a real
17 item -- it was like a cane. But it's like -- it's broken
18 up into pieces and scattered onto a card.

19 Now, if you look at that, if you put those
20 together, what would it make, what could you organize
21 these items to -- what would it create if they were put
22 together. So it's visual, it's perceptual organization.
23 He did fine on that.

1 Q How many --

2 A Yes.

3 Q Go ahead. The executive, what are you
4 measuring with executive testing?

5 A So what we're measuring with executive is
6 planning, organization, prioritization, his ability to
7 deal with novelty, his ability to do deductive reasoning
8 and problem solving. He did great. I mean we're looking
9 at mental flexibility with Trail B, 91st percentile.

10 With the Category Test, he actually -- that is
11 extraordinary, only 21 errors. So his ability to reason
12 and use deductive reasoning to solve problems -- good for
13 a lawyer again -- but he did very well on that.

14 With a test he had obviously never seen and
15 still hasn't seen where he's blindfolded and he has this
16 task to put these blocks of different shapes into like a
17 child's shape sorter using one hand at a time, his scores
18 were fine -- a totally novel task -- a very sensitive task
19 to brain dysfunction.

20 Q Did you find that these results were
21 consistent or inconsistent with any claim of cognitive
22 impairment due to a brain injury?

23 A They are surprisingly normal for a man who

1 presented himself with having such severe problems with
2 memory that he experiences, disorientation that he
3 experiences, slowness that he reports, yet he is fast, his
4 memory is sharp, his attention -- he's got some
5 difficulties with attention, but he is in good shape.

6 Q With regard to the declines that you see in
7 Dr. Wilken's testing, you had mentioned at the outset that
8 he had regiven a test that he should not have regiven.

9 Is this that test?

10 A No. The Wisconsin Card Sort Test, of which I
11 am an author of the manual -- is a test, if you read our
12 manual, we say it's kind of like a joke where if you hear
13 the punch line, the first time it's kind of funny, but to
14 hear it again, it's not so funny. It doesn't really work
15 to repeat it. That's the Wisconsin Card Sort Test.

16 I wish we could give it repeatedly. It's a
17 nice test, you know, and I'm proud of my work on it. But
18 you don't really give the test a second time because once
19 somebody has done it, they know the rule.

20 And so he gave it to him a second time, and --

21 MR. GLASS: Objection.

22 THE WITNESS: -- what you see on it is he
23 actually did worse --

1 MR. GLASS: Objection.

2 THE COURT: Hold on. Hold on.

3 MR. GLASS: This is what we talked about
4 yesterday. Now he's nitpicking at the way Dr. Wilken did
5 the report. I was stopped yesterday from asking about
6 that. Ms. Bardot had an objection that there is some case
7 out there that says you can't do that.

8 THE COURT: Okay.

9 MS. BARDOT: He's interpreting the scores that
10 are within the report that Dr. Wilken testified about.

11 THE COURT: The objection is sustained. Move
12 on.

13 MS. BARDOT: We'll move on.

14 BY MS. BARDOT:

15 Q When you looked at -- did you also do a
16 Halstead Impairment Index?

17 A I did. So one of the most sensitive indexes
18 going back 50 years in neuropsychology to identifying
19 brain dysfunction or non-brain dysfunction is called the
20 Halstead Impairment Index where you take individual scores
21 that have been identified as being especially sensitive to
22 the presence of brain dysfunction, and what group of
23 scores maximally separates the healthy from the brain

1 impaired, and that's the Halstead Impairment Index.

2 Using those seven scores, Mr. PLAINTIFF
3 actually scored in the normal range. He does not show
4 evidence of brain dysfunction, which is completely
5 incompatible again with his presentation with his
6 complaints. You did an MMPI II?

7 A I did.

8 Q What is that?

9 A So the MMPI II is a personality inventory, 567
10 questions, true/false questions, and you basically answer
11 each statement -- you read a one sentence statement, you
12 decide if that statement is true or false as it applies to
13 you. And these would be weird questions like, "I like
14 Mechanics Magazine," true or false. Why are they asking
15 that?

16 And then you answer all these questions and it
17 compares you on a scale basis with people with depression,
18 with anxiety, schizophrenia, mania, various conditions,
19 and so you're not really focused on the individual items,
20 but on the scales and severity within those scales.

21 Q What did you find a conclusion to be from the
22 MMPI II that was given to Mr. PLAINTIFF?

23 A Well, he shows a very distinct personality

1 profile. He was honest and consistent in his responses,
2 but he presented -- the profile with Scale III, which
3 measures basically somebody who is in a great deal of
4 repression and denial, who rejects psychological
5 interpretation, prefers that, "My problems must be
6 medical, there must be a medical thing going on here," and
7 -- but they'll deny that -- their depression even if
8 you're seeing all kinds of evidence of depression, and
9 they're trying to -- their anxiety will be converted into
10 physical symptoms.

11 So that's how they will express. You know,
12 people can express their depression by feeling sad, being
13 tearful, or they could express their depression by, "I'm
14 in pain, you know, my body isn't working, I can't think."

15 People who are depressed who basically say,
16 "I've lost confidence in my mental abilities," very
17 common, yet in fact when you test them, they actually do
18 well. So, you know, that's a very common, you know,
19 thing.

20 So this profile, a 3-1-2 profile, the hysteria
21 scale is way up and there's basically -- the depression
22 scale is not as high as we see for the, you know, --
23 because it's really helping to control by his repression

1 and his denial are -- so he's basically dealing with
2 stress and unhappiness and whatever's going on, which
3 could be work or marital or whatever -- we don't know --
4 but instead of dealing with that directly, it's dealt with
5 indirectly by development of physical symptoms and
6 complaints.

7 Q So then in conclusion, once you looked at all
8 the test results, you looked at Dr. Wilken in February of
9 2016, Dr. Wilken in May of 2017, you had the benefit of
10 looking at all the medical records and the depositions,
11 and doing your own testing and evaluation, what conclusion
12 did you reach to a reasonable degree of certainty in your
13 field as to whether or not Mr. PLAINTIFF suffers from any
14 cognitive deficits from a mild traumatic brain injury which
15 can be related to the accident of June 2015?

16 A I came to the conclusion that Mr. PLAINTIFF
17 does not have cognitive deficits which can be attributed to
18 the minor head injury that he suffered in, you know, twenty
19 --what was it? -- in 2015.

20 Q Right.

21 And did you come to a conclusion as to what
22 you would attribute the self-reported deficits to?

23 A Well, a combination of personality factors,

1 but also these are problems that he had reported for 18
2 years, problems with memory, problems with fatigue,
3 problems with attention. These are all preexisting
4 problems.

5 And the other explanations are his obstructive
6 sleep apnea and the extent to which his thyroid is under
7 control and the extent to which his diabetes, and add that
8 to the effects of aging, because aging doesn't help any of
9 us with cognition, but it is more accelerated when you
10 have these other health conditions.

11 Q And this was a conclusion that you reached on
12 your own without having reviewed any reports by Dr.
13 Tuwiner or Dr. Miller, my other experts; correct?

14 A That's correct.

15 Q You have not ever seen those, have you?

16 A Pardon?

17 Q You've never seen those reports, have you?

18 A I don't think you provided those.

19 Q Based on the testing results that you have
20 regarding Mr. PLAINTIFF, do you have an opinion within a
21 reasonable degree of certainty in your field regarding
22 whether he is capable of working full time as an attorney?

23 A From the best of what I can tell, he certainly

1 has the mental abilities to perform as an attorney.

2 Q That's all I have. Thank you.

3 THE COURT: Okay. We're going to take our
4 recess. We'll resume at 2:00 p.m.

5 * * * * *

6 (Whereupon, at approximately 1:02 o'clock
7 p.m., the jury retired from the courtroom, and the hearing
8 in the above-entitled matter was recessed for a luncheon
9 recess, to resume at approximately 2:00 o'clock p.m., that
10 same day.)

11

12

13

14

15

16

17

18

19

20

21

22

23

* * * * *

CERTIFICATE OF REPORTER

I, ROBERTA F. KERNS, a Certified Verbatim Reporter, do hereby certify that Melissa Alberts, a Verbatim Reporter, took the stenographic notes of Pages 1 through 148 of the foregoing proceedings, which I thereafter reduced to typewriting; that the foregoing is a true record of said proceedings; that I am neither counsel for, related to, nor employed by any of the parties to the action in which these proceedings were held; and, further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of the action.

Roberta F. Kerns, CVR
Certified Verbatim Reporter

1 A F T E R N O O N S E S S I O N

2 (The Court reconvened at approximately 2:12
3 o'clock p.m.)

4 MS. BARDOT: I just had Dr. Kay get back up on
5 the stand.

6 THE COURT: I'm sorry?

7 MS. BARDOT: I just had Dr. Kay step back up
8 there. I'm sorry.

9 THE COURT: That's where I want him.

10 MS. BARDOT: I'm trying to move things along.
11 Thank you.

12 (Whereupon, at approximately 2:13 o'clock
13 p.m., the jury reentered the courtroom, and resumed their
14 seats in the jury box.)

15 Whereupon

16 GARY KAY, M.D.

17 a witness, was re-called for further examination by
18 counsel on behalf of the Plaintiff, and having been duly
19 previously sworn by the Clerk of the Court, was examined
20 and testified further, as follows:

21 CROSS EXAMINATION

22 BY MR. GLASS:

23 Q Good afternoon, Dr. Kay. As I was sitting

1 here listening to you testify before the lunch break, it
2 struck me that it sounds like you characterize Mr.
3 PLAINTIFF as having really a superlative memory.

4 A A very strong memory.

5 Q A very -- like just an amazingly awesome
6 memory for a guy his age; right?

7 A Well above average.

8 Q Okay. Any significant memory deficits at all
9 you found in your testing?

10 A Well, the prospective -- we call it
11 prospective memory, but I tried to explain that in the
12 absence of any memory deficit that the inability to kind
13 of follow through on planned events to do, things to do,
14 at certain times, or when an event occurs could be due to
15 other factors: attentional factors, executive function
16 factors.

17 But it didn't seem to be due to him having a
18 deficit in his recall.

19 Q And that's I think is the Missed Test?

20 A Missed.

21 Q What am I going to do, what am I supposed to
22 do 10 minutes from now.

23 A Yes.

1 Q We'll come back and talk about that.

2 A Sure.

3 Q So whenever we read in the medical records,
4 the 20 year history of medical records about complaints of
5 memory loss, whatever that was, isn't significant enough
6 to have shown up in your testing; correct?

7 A Right. We did not see any evidence -- and
8 going back to the 2016 exam, the 2017, and 2018.

9 Q Well, to be clear I'm talking about the
10 records you reference that date back 20 years --

11 A Sure.

12 Q -- you were asked about in direct examination
13 where there are complaints of memory loss, they were not
14 significant, were they?

15 A There was some testing from that time, so we
16 don't know what his performance was in memory testing at
17 that time, but his performance in memory testing beginning
18 in 2016 looked good.

19 Q Well, we know that he kept working --

20 A That's correct.

21 Q -- right up until five months after the
22 accident; right?

23 A That's correct.

1 Q He told you he was a workaholic; correct?

2 A That's correct.

3 Q He loved his job; right?

4 A I don't know if he said he loved his job, but
5 he used the term workaholic.

6 Q Did you see anything at all in your
7 examination of him, your questioning, anything about the
8 records that suggest that he didn't like what he did for a
9 living?

10 A I heard nothing along those lines.

11 Q Now, in terms of the obstructive sleep apnea,
12 again a long history of that.

13 A Yes.

14 Q But no cognitive damage reflected in your
15 testing from obstructive sleep apnea; right?

16 A Well, it may be mitigated by the use of the
17 Vyvanse that he takes. That would certainly be something
18 which could help. So -- but we --

19 Q Absolutely, absolutely. So certainly no
20 permanent brain injury from a long history of severe
21 obstructive sleep apnea; right?

22 A Well, we don't know how he would look on
23 testing if he was fully treated and successful in

1 treatment of the sleep apnea. We don't have those scores.

2 Q But your testing with his sleep apnea shows
3 he's got awesome memory.

4 A He did very well, yes.

5 Q I think right at the end of the examination
6 before we went to lunch, you were asked a question about,
7 you know, does he have any cognitive deficits, and your
8 answer was, your view is he has no cognitive deficits
9 resulting from his minor head injury; is that right?

10 A That's right.

11 Q Did I hear that correctly?

12 A You did.

13 Q So you agree that he had a head injury in this
14 accident?

15 A I think the way I expressed it was that at
16 worst he had a minor head injury based on the self-report
17 data, based on the hospital records, and everything I
18 reviewed, yes.

19 Q And you would disagree with anyone who came in
20 here and said, "The only injury he sustained in the
21 accident was a chest wall injury from the seat belt."

22 A Well, based on his self report, you know, he
23 basically made a claim of an alteration in his level of

1 consciousness, which would suggest to me that he may in
2 fact have sustained a concussion.

3 Q We'll come back and talk about that in a few
4 minutes.

5 A Sure.

6 Q Now, you said that -- I think you said like
7 doing the forensics, it's lucrative, more lucrative than
8 the government work; right?

9 A You bet.

10 Q And I guess your clinical practice is
11 somewhere it sounded like between 30 and 35 percent of
12 your professional life; right?

13 I know you have this other business involved
14 with the CogScreen.

15 A Well, that's separate. The Cognitive Research
16 Corporation is the company that I have in Florida that
17 does clinical drug research trials. CogScreen is a
18 separate thing that publishes tests.

19 Q I'm sorry; you have two different things.

20 A Two different things.

21 Q The CogScreen is the thing for the pilots;
22 right?

23 A That's -- well, it's used for pilots. It's

1 also used in clinical drug trials.

2 Q But somewhere between 30 and 35 percent of
3 your time is seeing real people and doing testing; right?

4 A Or reviewing records. I get a lot of --

5 Q Sure.

6 A -- records that are sent to me, and I also get
7 test results from treatment facilities around the country
8 asking me to evaluate the data from those evaluations.

9 Q Okay. So in terms of forensic work, which is
10 the work not from your patients -- I mean you have
11 patients. You have --

12 A Pilots.

13 Q You have pilots, okay, sent to you by the FAA?

14 A No. They're sent to me -- they come on their
15 own, they're sent by aviation medical examiners, they're
16 sent by airlines.

17 Q Do you have like any -- not to be derogatory
18 towards pilots -- but real people, like people who call
19 you up and say, "I'd like a clinical exam"?

20 A I do. Every year there's a couple, not too
21 many, because I certainly don't market myself for clinical
22 practice, I don't accept insurance, and I don't have a
23 back office.

1 Mr. PLAINTIFF saw a very small little
2 operation in my clinical practice. So I don't really -- I
3 used to at one time have a big clinical practice certainly
4 at Georgetown. But at this point, I only see a couple a
5 year -- patients with Parkinson's or somebody who begs me
6 to see a demented relative.

7 Q Sure. So like the local neurologists in
8 Washington and Northern Virginia, they don't send you
9 patients like Dr. Kayloe sent Mr. PLAINTIFF to Dr. Wilken
10 for evaluation?

11 A Yeah. I think most of them -- actually no. I
12 get a couple a year from -- one neurologist still does
13 send a couple, so I can't say it's absolute. But most of
14 the neurologists that I worked with at Georgetown all
15 think I'm in Florida, which is my primary residence.

16 Q Oh, Florida?

17 A Yeah.

18 Q That's why you don't have a license in
19 Virginia?

20 A Pardon? No, I don't have a Virginia license.

21 Q How much do you make from this forensic work
22 each year? You must have some idea.

23 MS. BARDOT: Your Honor, I'm going to object.

1 May I approach?

2 THE COURT: Yes.

3 BENCH CONFERENCE

4 MS. BARDOT: I filed a motion to quash on
5 their subpoena to Dr. Kay asking how much he makes a year
6 in forensic work.

7 That motion was granted, and they were not
8 provided that information as a result of that motion being
9 granted. So I don't think they can do a background
10 against that in the course of this trial.

11 THE COURT: Well, the way I understand it, the
12 question that is being posed now is to ascertain what
13 percentage of his income is based on testifying.

14 MR. GLASS: I asked a dollar amount. I didn't
15 actually ask a percentage.

16 THE COURT: But you're not asking him
17 specifically now that he's testifying; right?

18 MR. GLASS: I'm asking how much money he makes
19 each year from forensic work, dollars, \$50,000 --

20 THE COURT: Compared to -- just as a raw
21 number?

22 MR. GLASS: As a raw number.

23 THE COURT: Okay.

1 MS. BARDOT: Which is what the Court has
2 already quashed in the motion.

3 THE COURT: Did the Court quash the question,
4 or did the Court quash the subpoena for it?

5 MS. BARDOT: The question and having -- see,
6 what happened was, two things.

7 There was a subpoena for it and I filed a
8 motion to quash. I'm sorry; I misspoke.

9 And then there was an interrogatory to my
10 client asking for that very information. The Court
11 sustained my objection to him having to answer that
12 question and told him the only questions he had to answer,
13 which we have, was how much my firm has paid him over a
14 three year period and how much he was paid by State Farm
15 over a three year period, none of which is significant
16 enough with Rohrbaugh.

17 So what they're now doing is doing an end run
18 of the Court's order saying that my objection to that
19 question was inappropriate and they weren't allowed to
20 have the information.

21 THE COURT: Right. So I have to base my
22 decision on what is happening in front of me right now,
23 and I deem the question to be relevant. And because I

1 deem the question to be relevant, I'm going to allow it.

2 The trial judge always has the authority to
3 make rulings on relevancy as matters arise.

4 MS. BARDOT: I would just note --

5 THE COURT: I mean I understand that --

6 MS. BARDOT: It goes beyond the work law, too,
7 which is --

8 THE COURT: Let me finish.

9 MS. BARDOT: I'm sorry. I thought you were.

10 THE COURT: I interrupted you, so I apologize
11 for that.

12 But obviously the way our court operates, many
13 judges address matters in pretrial motions as I do
14 regularly on Friday. But when you're actually in trial,
15 the trial judge has to make decisions on relevancy.

16 And even though the interrogatory was granted,
17 your request in the interrogatory was granted and the
18 interrogatory on the documents was granted, I don't see
19 that that limits the Court from finding this question to
20 be relevant.

21 MS. BARDOT: It also wouldn't be relevant
22 under Rohrbaugh. Rohrbaugh says that what would be
23 relevant is, how much he gets paid for forensic work doing

1 work for my client. It's not how much he gets paid for
2 forensic work --

3 THE COURT: Does that decision that you're
4 referring to say that his overall income in forensic is
5 irrelevant?

6 MS. BARDOT: It says you have to show a
7 substantial connection between the amount he receives from
8 the client at issue, and they cannot do that as they know
9 from the answers to interrogatories that I have provided,
10 because that's what shows bias.

11 It would be like me asking their doctors,
12 which I wasn't allowed, "How much work do you -- how much
13 money do you make from doing all plaintiffs work?" It's
14 not relevant. The bias is if he has a bias in favor of my
15 client.

16 And that's why the Court ruled that way on the
17 interrogatories, because it's consistent with the case
18 law. That's why I wasn't able to get from their experts
19 how much money he makes from doing Plaintiffs work,
20 because it doesn't establish the bias under case law.

21 THE COURT: So do you believe that that case
22 you're referring to ought to control the Court's decision
23 on this matter?

1 MS. BARDOT: I think it does control the
2 Court's decision.

3 THE COURT: Do you have that decision?

4 MS. BARDOT: This (indicating) is the part I
5 would cite. It's underlined.

6 THE COURT: That will save me some time.

7 MS. BARDOT: It's right here. It's also on
8 Page 5. This doesn't line up the same as mine.

9 THE COURT: So you want to ask how much he
10 gets from forensic work? Does --

11 MR. GLASS: How much -- I'm sorry. Go ahead.

12 THE COURT: Hasn't he already addressed what
13 percentage of his practice is forensic work, because I
14 just heard him say that he does almost no clinical work at
15 all?

16 MS. BARDOT: Correct.

17 MR. GLASS: He said earlier on direct that
18 half of his clinical work is forensic, and clinical work
19 is 30 percent to 35 percent of his total life, work life.

20 THE COURT: So tell me the relevance of
21 knowing how much he gets from forensic work as opposed to
22 how much he got from State Farm.

23 MR. GLASS: So I'm not doing State Farm, and

1 that's really what this case is about.

2 If the guy makes \$10,000 a year or the guy
3 makes \$500,000 per year doing forensic work, I think
4 that's significant. I don't actually know the answer to
5 my question, but I think I'm entitled to cross examine on
6 bias, on experience --

7 THE COURT: Bias towards Ms. Bardot?

8 MR. GLASS: No, no, no, no, no.

9 THE COURT: Or towards insurance companies
10 generally?

11 MR. GLASS: No. Just that he's a hired gun.
12 He doesn't have patients.

13 THE COURT: But isn't the issue his percentage
14 of his income is --

15 MR. GLASS: I think --

16 THE COURT: I mean can --

17 MR. GLASS: Go ahead. I'm sorry.

18 THE COURT: Can't you ask what percentage of
19 his income is based on his forensic work? Let's say he
20 said 90 percent. Let's say that was his answer.

21 Doesn't that accomplish your purpose? Why
22 does the jury need to know whether that number is \$400,000
23 or \$200,000?

1 MR. GLASS: Because I know jurors are
2 impressed by big numbers whatever the percentage is.
3 That's my answer to you. I can do the percentage. See, I
4 don't -- this --

5 THE COURT: Okay. I'll let you do the
6 percentage.

7 MR. GLASS: Okay.

8 MS. BARDOT: Well, let me -- if I can just
9 make the record. I think if --

10 THE COURT: Are you objecting to him asking
11 about the --

12 MS. BARDOT: Yes. I am.

13 THE COURT: Let me finish.

14 -- what percentage of his income is forensic
15 work?

16 MS. BARDOT: Yes. I am. I don't think it's
17 permissible, and I think if you look at --

18 THE COURT: Hold on a second. I need counsel
19 to hear what she's saying. She can't be talking to me by
20 herself.

21 MS. BARDOT: I don't think it's permissible,
22 and if you look at the language I cited from Rohrbaugh, it
23 says, "The jurors were entitled to know his interest or

1 bias in his relation to the party ultimately liable." And
2 it goes on to say, "The relationship between a party and a
3 witness, particularly an expert, is such as to make proof
4 of their financial dealings, sufficiently probative to
5 outweigh prejudice."

6 So it doesn't allow you to say how much
7 generally do you get from this, how much do you get from
8 insurance carriers, how much do you get from that. You've
9 got to make a connection.

10 THE COURT: That's different than saying,
11 "What percentage of your income is derived from forensic
12 work?" That question I hear all the time.

13 MS. BARDOT: All right.

14 THE COURT: All right. Overruled.

15 MS. BARDOT: All right. Thank you.

16 THE COURT: To that extent.

17 MR. GLASS: To that extent?

18 THE COURT: To that extent.

19 OPEN COURT

20 BY MR. GLASS:

21 Q All right. Let me ask a better question, a
22 different question.

23 What percentage of your overall income is from

1 forensic work?

2 A Of my what income?

3 Q What percentage of your overall income in your
4 profession life is from your forensic work?

5 A So my professional income?

6 Q What other kind of income is there?

7 A All right. Like if I made some money from
8 property, things like that.

9 Q Exactly. Your professional income. Your
10 Ph.D. scientist income.

11 A I don't know really. I mean I'd have to give
12 you like an estimate.

13 Q An estimate is good.

14 A I thought we did something where we came up
15 with a dollar amount.

16 (Pause.)

17 THE WITNESS: I would say it's in the nature
18 of about 10 to 12 percent.

19 BY MR. GLASS:

20 Q How many cases a year would that be --
21 forensic cases a year?

22 A One case last year would have been a third of
23 it.

1 Q You had one big case?

2 A Yeah. One big case for the Department of
3 Justice.

4 Q So in a typical year how many cases -- you
5 answered a different question.

6 How many cases do you get sent for forensic
7 evaluation, either medical records or people?

8 A Like reviewing records, doing IME's, or --

9 Q Correct. Because we know that neurologists
10 except for on rare occasions aren't sending you real
11 patients.

12 A Well, actually I get real patients that are
13 real pilots --

14 Q Real pilots.

15 A -- that are being evaluated for their brain
16 injuries and for their neurologic conditions. You're
17 diminishing --

18 Q But if --

19 A You're diminishing my aviation neuropsychology
20 Practice. Thank you.

21 Total number of cases that get referred -- I
22 mean, I don't necessarily see all the people -- I would
23 say it's on the order of, you know, one to two a month.

1 Maybe 18 -- 12 to 18 cases in a year get referred.

2 Q And this isn't the first time that you have
3 been on the other side of a case where one of Dr. Wilken's
4 patients is claiming cognitive injury from an accident or
5 trauma or something, and you've been hired to be the
6 opposing expert; right?

7 A That's correct.

8 Q How many times has that happened?

9 A I have no idea.

10 Q How do they know to call you?

11 A I think I'm very well respected as a senior
12 neuropsychologist here in the Washington, D.C., area as
13 the former director of neuropsychology at Georgetown.
14 And I've trained a good portion of the neuropsychologists
15 who are practicing here.

16 Q I'm sure you're an awesome trainer too.

17 A A good teacher?

18 Q A good teacher.

19 A I hope so.

20 Q So the folks who've trained under you, they
21 know how to do the testing; right?

22 A I hope so, yes.

23 Q The doctors whose records you reviewed -- Dr.

1 Feola, Abidin, Kayloe, Wilken -- they all got involved in
2 the care and treatment of Mr. PLAINTIFF before any lawyers
3 were involved; right?

4 A I have no idea.

5 Q You know that Dr. Feola is his long-time
6 treating physician, primary care physician?

7 A That's correct. That part I do know.

8 Q Dr. Abidin was a long-time ENT physician?

9 A I don't remember the date he started working
10 with him. I think you're right on that. I believe you're
11 correct.

12 Q Sure. And it was Dr. Feola who referred Mr.
13 PLAINTIFF to Dr. Kayloe?

14 A I think that may be correct.

15 Q Dr. Kayloe referred Mr. PLAINTIFF to Dr.

16 A Wilken? That's right.

17 Q Do you have any doubt in your mind that Dr.
18 Feola, Dr. Kayloe, and Dr. Wilken were trying to get this
19 man back to being able to work as a lawyer?

20 A That that was one of their objectives?

21 Q Yes, sir.

22 A I would assume. I mean, we'd all hope that,
23 yes.

1 Q Is there any doubt in your mind that based
2 upon your clinical interviewing and your testing that he
3 wants to be back as a lawyer?

4 A I believe he believes that.

5 Q Now, the very first line -- and feel free to
6 look at it -- it's in Page 15 of your report. The very
7 first line of the summary says that, "Based upon the
8 review of records and the current neuropsychological
9 assessment, Mr. PLAINTIFF appears to have possibly
10 sustained at worst a mild concussion in the motor vehicle
11 crash in June 10 of 2015."

12 What was the evidence for his mild concussion?
13 Was it in your view totally his self report?

14 A Yes.

15 Q Self report of what?

16 A Self report of basically being fuzzy, just
17 basically his description of an alteration in his level of
18 consciousness. He felt and his wife described that he was
19 slowed down.

20 So it's not, well, his self report. He said
21 that his wife said that he was slowed down.

22 Q Would you know what his wife observed and told
23 the people in the emergency department?

1 A I would normally see what's printed in records
2 and then what he told me.

3 Q So it's not entirely a self report? It's
4 based in part to your knowledge her report.

5 A His recollection of what she said.

6 Q Right. I'm sorry. What did you say? His --

7 A His recollection of what she said.

8 Q Okay, okay. I understand what you said.

9 Now, again, your view as I understand it is
10 that there is nothing at all that prevents Barry PLAINTIFF
11 from practicing as a lawyer today?

12 A Actually, I don't know that I said that. we
13 were talking about cognition.

14 I think that emotionally he's not in a good
15 spot. And I think that he -- I see his description of the
16 irritability, his lack of joy, his failure to experience
17 things as really serious signs of depression, as well as
18 the -- you know, basically he's lost confidence in his
19 mental abilities. He does not -- you know, he can't
20 trust -- he doesn't trust what, you know, he remembers.

21 That is very disruptive. You know, who would
22 hire him as their attorney if he was describing himself as
23 having impairments such as he described? He --

1 Q All right. We'll get to that.

2 A Okay.

3 Q And I don't mean to cut you off.

4 A No. That's fine.

5 Q And the psychological side, in your view, has
6 nothing whatsoever to do with this car accident and the
7 treatment he got for the car accident; correct?

8 A I don't see the direct, you know, causal issue
9 there.

10 Q I'll ask it a slightly different way.

11 Had he left his parking lot 10 minutes earlier
12 or 10 minutes later that day in June, had the accident not
13 happened, you're of the view that he would not be working
14 today because of his psychological condition; correct?

15 A I'm sorry. I had trouble following that. If
16 -- I should let you repeat that.

17 Q If the accident never happened, your view is
18 that because of his -- that his psychological condition
19 would be exactly the same, and he would not be working as
20 a lawyer; correct?

21 A No. I don't know to what extent this may have
22 triggered, or disrupted, slowed, interfered with his life,
23 and/or the depression kind of kicked in.

1 Q Exactly. So at least in part, this car
2 accident is a cause of whatever psychological condition
3 you say he has which is preventing him from working as a
4 lawyer today; right?

5 A There's a relationship between -- we didn't
6 have him being impaired in terms of going to his job and
7 doing all that prior to the crash. So --

8 Q So in other words, again, had the accident not
9 happened the overwhelming likelihood is that he would
10 still be working as a lawyer?

11 A We don't know that. I mean, depression can
12 come on in people all the time. Depression is a very
13 common human condition. And this may have been the event
14 that was distressing and caused him to go into that
15 depression.

16 That depression could have occurred through
17 some other factor in his life as well. I don't know.

18 And the description of him from before, going
19 back in his records to 2000 and all, where he's describing
20 memory difficulty, concentration difficulties, feeling
21 fatigued, that sounds very much like there was some
22 underlying depression.

23 Q Which did not stop him from working as a

1 workaholic, 60 hours a week, on weekends, as a lawyer;
2 correct?

3 A He was working up to that time, you're
4 correct.

5 Q And so you wouldn't say that it's just a
6 coincidence that he had the accident and that he is not
7 working as a lawyer?

8 It's not just pure coincidence, is it?

9 A No. I didn't say it's a coincidence, no.

10 Q No. There's a causal effect from that
11 accident, which at least in part in your view is, plays a
12 role in his not being able to work today.

13 A There is a time line change there, so we would
14 follow his time line. Up to the point of the crash, as
15 you said, he's working. After that point we see a big
16 change in his functioning.

17 Q And there's nothing else in his life that you
18 know about around that time that would have caused and
19 kicked off a depression which you say prevents him from
20 working today.

21 A I mean, one thing I noticed was that in like
22 March of that year, he had ceased taking a salary. So
23 there were obviously some financial issues going on.

1 And so I'm not familiar with every other
2 factor in his life. He didn't present to me, and I don't
3 know of any other factors -- I don't even know -- I'm not
4 certain of that factor. I'm not trying to say I do. But
5 if we knew more we might be able to find that there's some
6 other explanation.

7 Q Sure. And that's the important point here in
8 court, which is you can't point to anything else, say with
9 any degree of certainty that this other factor kicked off
10 the depression you're saying he has that prevents him from
11 being a lawyer?

12 THE COURT: I did not understand the question.

13 I did not understand that last question.

14 MR. GLASS: I'm sorry. Short-term memory.

15 BY MR. GLASS:

16 Q I think the question, and that's my point,
17 that you can't point to anything else in this record, in
18 this man's life that was going on around the time of the
19 accident or several months later which kicked off the
20 depression which you say prevents him from being a lawyer
21 today.

22 A Not that I know of, you're right.

23 Q Dr. Kay, you went down a long list of things

1 that Mr. PLAINTIFF told you either he couldn't do or he
2 had difficulty with, and I think you said -- and we'll go
3 through them, but I think you said, "If these things are
4 real symptoms, he has a serious brain injury."

5 Do you remember that discussion?

6 A Yes, sir.

7 Q So things like -- one of the things he
8 complained to you about was he watches a movie and can't
9 remember that he's seen it before, would be a sign of a
10 serious brain injury; right?

11 A Well, of a memory problem potentially, yes.

12 Q Okay. Now --

13 A We all -- we all have experiences of "Oh, did
14 I watch this TV show before?" But if this is a constant
15 theme, then yes that becomes a --

16 Q And certainly if we have a list two pages long
17 of these types of complaints, it's not just a, you know a
18 brain fart -- right? -- a momentary laps of concentration;
19 right?

20 A Correct.

21 Q It's a serious brain injury.

22 A That would be a serious brain dysfunction,
23 whether injury or struggle or disease. But it would be

1 indicative of brain damage.

2 Q Right. Now, is he lying about this when he
3 told it to you?

4 A I did not -- I indicated before that I thought
5 he was not feigning, and I thought he was showing good
6 effort. So these are things he believes.

7 Q And if they are true and believed to be true,
8 they are evidence of a brain injury; correct?

9 A If those things were real, which we know
10 they're not from the testing, then in fact if they were
11 real they would be evidence of very serious neuro-
12 cognitive deficits, something like dementia. He would be
13 demented, basically, with the description he gave.

14 Q And if they are believed to be true in his
15 life, they are signs of a serious brain injury, and they
16 came from this car accident; right?

17 A I think the word is that if they are --

18 MS. BARDOT: Objection.

19 THE COURT: Hold on. Hold on a second.

20 MS. BARDOT: First of all, he's asking him to
21 give a medical diagnosis, which a neuropsychologist is not
22 able to give, and he's already said he doesn't consider
23 the factors to be true.

1 So there's not a foundation for the question.

2 THE COURT: Your question was if he believed
3 it to be true or if it was true, because you said
4 believed.

5 MR. GLASS: I said -- I'm sorry. I said if it
6 -- if they are believed to be true.

7 THE COURT: You mean if factually they're
8 true?

9 MR. GLASS: Yes, sir.

10 THE COURT: Okay. So ask your question again,
11 and then we'll see if there's --

12 MR. GLASS: Let me just -- I don't want to get
13 bogged down on this.

14 BY MR. GLASS:

15 Q You gave a list, and I'm sure that you
16 remember. I'm going to just mention a couple of things
17 from the list that he told you that he can't do, which you
18 believe he's wrong about; okay?

19 You think he's wrong and I guess lying to you
20 when he says he needs to keep notes for everything.

21 A That he's lying to me?

22 Q That's what --

23 A Did I indicate that he was lying? I don't

1 believe I've ever indicated that he was lying, sir.

2 Q Well, that's what I'm asking you.

3 A Did I say that? No. I did not say he was
4 lying.

5 Q Well, are you saying today that when he tells
6 you that he needs to keep notes for everything that he's
7 lying about it?

8 A I think that's how he feels, sir. That's a
9 subjective experience. That's his perception.

10 Q Do you think he's actually keeping notes?

11 A I don't care if he's keeping notes. I'm
12 telling you that's his perception.

13 Q Do you believe --

14 A Does he need to take notes based on the
15 testing? Probably not. He actually could do a better job
16 than most of us here.

17 Q And if it is believed that he does need to
18 take notes for his memory --

19 A Believed by who?

20 Q A jury.

21 A Okay. So if the triers of fact believe --

22 Go ahead. I'm just trying to understand your
23 question.

1 Q Well, if they believe that these things that
2 he's told you and his doctors since the date of this
3 accident are true --

4 A They're his beliefs.

5 Q -- that his --

6 A They truly are his beliefs. That is what Mr.
7 PLAINTIFF believes.

8 Q So he believes he writes -- or wrote confusing
9 emails, but he didn't? They're not confusing?

10 A I haven't seen those confusing emails.

11 Q I know you haven't.

12 If he says he believes he has difficulty
13 multitasking, you think that he doesn't have difficulty
14 multitasking?

15 A I --

16 Q Let me finish asking my question.

17 A Sure.

18 Q And I'll try. The temperature's going up.

19 A Yes.

20 Q If he says, "I have trouble multitasking," are
21 you saying that he's telling you that but he doesn't
22 actually have problems multitasking? That's all.

23 A You're understanding right, because I'm saying

1 he doesn't, because he actually took a test. It's one of
2 the few tests we have in neuropsychology to measure
3 divided attention.

4 Ms. Bardot didn't ask me about it, but it's
5 called the Brief Test of Attention, specifically designed
6 for measuring divided attention. He got a perfect score.
7 So for a guy who's got a problem with divided attention,
8 he sure did pretty well. So no, I don't think he has that
9 deficit.

10 Q If he tells you that he believes he's unable
11 to interpret legal cases, you don't think he has that
12 deficit either?

13 A I believe he believes he has that.

14 Q If he tells you he misses deadlines for court
15 stuff, you don't believe that happened?

16 A I didn't say that I didn't believe what he is
17 reporting. I believe that that's his perception, and I
18 don't have the data on his -- I didn't review his
19 documents, and that's not actually a very standardized
20 way.

21 There could be many explanations for a lawyer
22 missing a deadline.

23 Q Missing a deadline is not something that one

1 perceives. I mean it either is on or off. It's an 0 or a
2 1; right? You miss or you make it; right?

3 A (No response.)

4 Q I take it you've worked with a lot of lawyers,
5 right, because you do this forensic work? You know the
6 kind of stuff we do?

7 A I've got a pretty good idea.

8 Q All right. So you agree that either based on
9 your general knowledge or what you've read about him,
10 that, you know, part of what he did in his life is he has
11 to go out and get and maintain clients?

12 A Is there a question?

13 Q That's part of what he would have to do before
14 he had this car accident?

15 A I don't know how much he had to market and if
16 that was part of his job.

17 Q That's fine.

18 We lawyers and you neuropsychologists often
19 have to multitask?

20 A I believe that a lot of us have to divide our
21 attention between things.

22 Q Do you know what he did in terms of management
23 of others -- other people at his firm?

1 A No. I do not.

2 Q You would expect him to need to read to
3 acquire new knowledge -- right? -- be able to read and
4 interpret.

5 A Yes.

6 Q And then write and think?

7 A I would assume those are important abilities
8 for an attorney.

9 Q Think logically?

10 A For an attorney, that is an important ability,
11 yes.

12 Q Keep track of dates and appointments, things
13 like that?

14 A Well, I think keeping track of dates, many of
15 us are more reliant upon a number of devices, like
16 calendars and appointment things, so we don't necessarily
17 have to keep those in our head. It's pretty hard as you
18 get to your point in life to keep all of your meetings and
19 appointments and schedule in your head.

20 Q Do you know much about what he did in his
21 particular job?

22 A No.

23 Q Do you have a sense of how many hours he

1 worked a week?

2 A I don't know how many hours he worked a week.

3 Q Do you have a sense of what the lawyers who
4 worked with him, how they evaluated his performance before
5 the accident?

6 A I have not seen anything on that.

7 Q Or after the accident?

8 A No, sir.

9 Q His work ethic was good though; right?

10 A I don't really know that much about his work
11 ethic.

12 Q You talked about one of the things that he
13 told you was happening in his life was he had a
14 responsibility to pick up and drop off his daughter I
15 think at the bus stop -- or his granddaughter.

16 A Granddaughter, he told me.

17 Q No one ever said anything to you about he's
18 the primary caretaker for his granddaughter; right?

19 A I don't remember seeing that.

20 Q Right. That's not what he told you in the
21 interview; right?

22 A I can check my notes. I don't think -- I
23 didn't write that down.

1 Q You talked a little bit about obstructive
2 sleep apnea, and you gave some grand rounds, I don't know
3 when it was, 20 years -- 18 to 20 years ago.

4 A Thirty years ago I think.

5 Q Thirty years ago. Grand rounds is when you
6 walk around the hospital with the residents?

7 A No, sir. That's when I was invited to speak
8 by the Chairman to lecture the Department of Neurology and
9 Neurosurgery to the neurologists and neurosurgeons, and
10 other community neurologists and neurosurgeons would come
11 to hear an academic lecture.

12 Q And you had some -- because you studied and
13 have some familiarity with obstructive sleep apnea -- and
14 this CPAP, are you familiar with what CPAP actually is?

15 A On a very personal basis and also on a very
16 academic basis.

17 Q I'm sorry. So you use it?

18 A Yes. I do, everyday.

19 Q Are you able to tolerate it?

20 A Absolutely.

21 Q A lot of people can't though; right?

22 A That's correct.

23 Q I mean, it's a machine that you wear while

1 you're trying to sleep at night; right?

2 A I do.

3 Q It's kind of mask. I don't necessarily mean
4 you, because I don't want to ask about you. But that
5 people, that patients wear, it's a mask-like device or
6 some sort of a hose apparatus, and then they're supposed
7 to sleep with it.

8 A lot of people just -- even though it's
9 supposed to help sleep it doesn't, because it's disruptive
10 to have a mask on your head while you're sleeping.

11 A Well, people learn to sleep with it, and I've
12 been involved with the Sleep Center of Georgetown for as
13 you saw, 30 years.

14 And I've been involved in sleep apnea research
15 all that -- during a lot of that time. And I've published
16 in the area in terms of the effects on cognition so I'm
17 very familiar with it.

18 Q I'm sorry. Would you say that again.

19 A I'm very familiar with the condition.

20 Q You would agree that people with traumatic
21 brain injury can have good days and bad days?

22 A Just like the rest of us.

23 Q In the situation where someone comes in for a

1 full day of neuropsychological -- the battery of tests,
2 that's a pretty structured, safe environment in that cell
3 phones aren't going off, people aren't sending you emails,
4 people aren't walking in to interrupt you, you're not
5 trying to answer an email while you're talking on the
6 phone to somebody; right?

7 A On my good days that's what it is.

8 Q That's exactly right.

9 A I do have some bad days, but on the good days
10 that's what it's like. I should be a pretty quiet,
11 controlled environment, yes.

12 Q The day he came in to see you, I mean he knew
13 this was a really important event in the life of his case
14 here; right?

15 A I believe so.

16 Q I mean he wasn't coming in distracted.

17 A But people often come in anxious, and that is
18 for them a distraction.

19 Q Was he anxious?

20 A Was he anxious? I didn't see him as being
21 particularly anxious.

22 Q He didn't have anything to distract him that
23 day.

1 A Well, things in his own mind. I didn't see
2 anything externally -- you know, like any sweating,
3 shaking, trembling, you know, those kind of outward signs
4 of anxiety were not evident.

5 Q That Missed Test we talked about earlier,
6 that's the thing -- you know, your wife sends you to the
7 grocery store and says get ten things, and you get there
8 and you forget a portion of the list; right?

9 A memory for intentions of what I'm supposed
10 to do, what I'm supposed to do at 6:30, to pick up a kid,
11 or go to a soccer game, or something. That's what we're
12 talking about there; right?

13 A Prospective memory test? Yes.

14 Q Right. And he tested poorly on that; right?

15 A Yes.

16 Q You also gave him a test, and I think it was
17 called a Sea Shore --

18 A A rhythm test.

19 Q Rhythm test. Where you're listening for a
20 series of sounds, and as I understand it, but you can tell
21 me if I'm wrong, you then listen to another series of
22 sounds to see if they are the same or not?

23 Did I get that pretty much right?

1 A It would sound pretty much like this.

2 I'm sorry to the court reporter.

3 Q Go ahead.

4 A Beep, ba deep, beep. Beep, ba deep, beep. --

5 Same or different; that's all. And you do
6 that 30 times.

7 Q And that's one of the tests for working
8 memory, because you have to keep the one rhythm in your
9 head?

10 A It's a test of auditory sequence perception.
11 So it's -- in terms of actually having to hold information
12 in your head, you immediately just say same or different.

13 So you hear it, and you compare it to the one
14 that came before it. You're comparing two sequences. But
15 it's not a very big demand. It's a very low demand on
16 working memory.

17 It is sensitive to brain dysfunction, probably
18 to aspects of functioning of the temporal lobe of the
19 brain, particularly on the non-language side of the brain.
20 That's why it's part of the Halstead mix.

21 Q And that's the test he scored in the 13th
22 percent? You described it as weak.

23 A So --

1 Q So let me finish my question if I could,
2 please.

3 A I'm sorry. Go ahead.

4 Q He falls in the borderline range.

5 A That's what I would call it.

6 Q What is borderline?

7 A So basically, it's more defined mathematically
8 as scores that fall below one standard deviation, but not
9 one-and-a-half standard deviation. So they're between the
10 fifth and the fifteenth percentile.

11 So we -- what a good neuropsychologist does is
12 basically be aware of how many scores really are occurring
13 in that range. We're all allowed to have a couple of bad
14 scores without being called brain impaired. But if you
15 have a whole lot of scores that fall into a particular
16 range, that's unlikely, and so that's called base rate
17 analysis: how often does somebody get a score that falls
18 in a particular range? That's what we want to look at.
19 Not just that you have a score that's bad.

20 The one score bad might mean that in that
21 particular area he could have a hearing problem. It's
22 also a test of musical ability, by the way. Yes.

23 Q It is true we don't look at like one set of

1 tests either to determine whether someone has a brain
2 injury from trauma?

3 A You're right. So we -- a good
4 neuropsychologist looks for confirmation or
5 disconfirmation. So you look basically across testing,
6 across visits, you would look within a particular domain,
7 and that's how you would evaluate it, not by any one
8 score. You can have one bad score. That's not a big
9 deal.

10 Q You could have a good day or a bad day doing
11 neuropsych tests too; right?

12 A Well, I think it's a very important point you
13 raise, because if somebody's having a bad day and they're
14 basically not attentive that particular day, they're in
15 pain, a good neuropsychologist basically says, "We're not
16 going to do testing today. I'm going to have you come
17 back." And definitely I do that.

18 If somebody's having a clearly bad day, they
19 are emotionally upset, then why would you continue to go
20 ahead and test them, if they're having a really bad day,
21 if they're not attending to, if they're not following
22 instructions.

23 Now, if they're like that all the time, they

1 have -- it's all bad days. That's different.

2 But if they say, "I didn't sleep last night,
3 my kids were up," why in the world would you go ahead and
4 do the testing? You're measuring the effect of that bad
5 day.

6 Q I agree with you. He was having a great day
7 that day, wasn't he?

8 A I'm sorry?

9 Q I agree with you. And he was having a great
10 day that day he was in your office, wasn't he?

11 A I wouldn't say you would ever refer to that
12 day with me as a great day. I guess if -- if you want to
13 put words in his mouth.

14 Q Because it was a forensics exam, you had these
15 embedded tests to make sure that he was trying hard?

16 A Well, trying, yeah. I don't know about trying
17 hard, but yes, was he showing good effort on testing?
18 That's what we look for is good effort.

19 Q Because some people come in and they try to
20 fake the test. They try to score lower to fake you out;
21 right?

22 A I hope not. The first thing I do is -- and he
23 will tell you this -- I coach -- not coach, but I direct

1 them that it's very important that they try their best on
2 all these tests. These tests are norms on people who are
3 trying to do well.

4 And so I say, "It's very important that you do
5 your best on these tests and allow the tests to show me
6 your deficits. You don't have to demonstrate a deficit.
7 Let the test demonstrate it for us."

8 Q When someone has trauma and they're knocked
9 unconscious, how do they know?

10 A How do they know what? Is there a question
11 there?

12 Q Yes. How do they know -- when they're asked,
13 for example, in the emergency department, "Did you lose
14 consciousness?," how does someone even know what the
15 answer to that question is, if they were the person who
16 lost consciousness?

17 A Well, there are many ways. People tell you
18 they've had an impact and that basically, you know, the
19 next thing they knew, somebody walked up to their window,
20 and that's how they know.

21 So they basically through whatever kind of
22 experience they have, it shows them that there's a period
23 of time that they can't explain.

1 Q Right. So a series of events that we know
2 happened but the person has no recollection of would be an
3 example of someone explaining, "I think I blacked out,
4 lost consciousness"; right?

5 A That could be the case.

6 Q All right. So in your examination of Mr.
7 PLAINTIFF and your interview of him and when you were
8 looking at the records, this was one of the important
9 factors that you believe supported your view of the
10 case -- of this case -- and that is that he had no
11 documented loss of consciousness; correct?

12 A It's one of the factors I tried to explain to
13 Ms. Bardot that reflects severity of injury and reflects,
14 as I told you guys about, the expected outcome. So you
15 look for various factors that are predictive of outcome.
16 That's what I use it for.

17 Q Right. And again, your view was he had no
18 documented loss of consciousness; right?

19 A That's not my view. That's facts from the
20 case, from the records.

21 Q Does he have any memories -- is there any
22 record at all that shows that he had a memory of hearing
23 the screech of the brakes?

1 A I can't recall.

2 Q Is there any documented record of him
3 reporting a memory of the crash of metal on metal?

4 A I can't recall.

5 Q Is there any documented recording of a memory
6 of the explosion of the air bag?

7 A I can't recall.

8 Q That air bag makes a really big sound, doesn't
9 it?

10 A Thankfully, sir, I have not ever heard it, so
11 I don't know.

12 Q What he does remember is becoming aware that
13 the air bags had deployed; right?

14 A I remember he said the air bags had deployed.
15 I don't remember if that's the moment that he became aware
16 about -- my recollection was it had more to do with the
17 OnStar -- didn't he say, "I heard the OnStar"?

18 Q Sure. And the OnStar you would expect would
19 take some period of time after the collision before --
20 like you see in the commercial, there's a voice coming
21 into your car that says, "Are you okay?" Right?

22 A I don't know how long that takes.

23 Q But in any event, he can't tell people about

1 hearing the sounds of the skidding and hearing the
2 collision of metal on metal, or hearing the explosion of
3 the air bag; right?

4 A I said I don't remember what he reported
5 during that period of time.

6 Q He doesn't have a memory about it.

7 MS. BARDOT: Objection; lack of foundation.

8 THE COURT: Sustained to whether he has a
9 memory of it.

10 BY MR. GLASS:

11 Q You asked him about the accident?

12 A Right.

13 Q He didn't tell you anything about hearing the
14 screech of brakes, the crash of metal on metal or
15 explosion of the air bag; right?

16 A Well, you kind of tell me in a court order
17 that I'm not supposed to depose him, and I don't -- I
18 really try and stay -- steer clear and not get in trouble
19 with you when I'm doing that interview.

20 So I don't really get into those kinds of
21 details, because you kind of prohibit me from doing that.

22 Q Did you see those kinds of details in any of
23 the medical -- any other of the medical records that you

1 looked at in your careful review of this case?

2 A What I saw was the emergency room and his
3 primary care doctor saying he did not lose consciousness.

4 Q What did he tell Dr. Feola about whether he
5 lost consciousness?

6 A Did I report that in my --

7 Q Take a moment.

8 A -- report? I'll look if we have it here.

9 (The witness examined his file.)

10 "He did not lose consciousness" is what I
11 recorded.

12 Q And what about with Dr. Kayloe?

13 A The story changed at that point.

14 Q So do you think he's like making it up when he
15 changes the story, as you just said?

16 A I think people embellish, and I don't know
17 that it's an evil thing. I think that they're trying to
18 come up with an explanation in their own mind for how
19 they're feeling.

20 I don't try to describe any untoward kind of
21 motive for him -- for Mr. PLAINTIFF at all.

22 Q What did he tell you?

23 A He told me he lost consciousness.

1 Q So is he embellishing that? I mean this is
2 two-and-a-half years after the accident.

3 A I think -- what I said -- you actually said it
4 properly earlier that I said there's no documented loss of
5 consciousness at the time of injury in terms of having
6 like, you know, I often look at ambulance records from
7 first responders. If a person was unconscious they'll say
8 if the unconsciousness occurred. That's what I'm looking
9 for.

10 The people who basically make documentation
11 and don't have a part in the legal case, what did they
12 record? That's what I'm looking for. That's all.

13 Q Okay. You've reviewed the emergency
14 department records from INOVA Fairfax Hospital; right?

15 A Yes, sir.

16 Q And part of what was recorded by the
17 radiologist when they took the clinical history was that
18 he had a headache.

19 A Did I write that, do you remember?

20 Q I can put it up on the screen for you real
21 quick.

22 A That would be great. Thank you.

23 Q I highlighted it for you.

1 And would you agree with me that what we're
2 looking at is the CT head --

3 THE COURT: Is that in evidence?

4 MR. GLASS: No, sir.

5 THE COURT: So you're just showing it to him?

6 MR. GLASS: Yes, sir. Just him. Thanks.

7 THE COURT: Okay.

8 BY MR. GLASS:

9 Q What we're looking at is the radiology report
10 from the CAT Scan that somebody ordered in the emergency
11 department; right?

12 A Right. But you just said that the radiologist
13 wrote this down, or interviewed him? Because I doubt the
14 radiologist ever laid eyes on Mr. PLAINTIFF.

15 Q Who signed the report?

16 A The radiologist signed the report.

17 Do you think that clinical history came from a
18 radiologist talking to Mr. PLAINTIFF?

19 Q Okay. It came from somewhere; right?

20 A Yeah. It came from somewhere.

21 Q Read it out loud.

22 A It says, "Clinical history," which just
23 basically comes from the order that comes from the unit,

1 from ED, "55 year old male patient with headache and
2 confusion. Status, post head injury during MVC air bag
3 deployment."

4 Q So someone in the unit. It's not
5 Mr. PLAINTIFF -- it's not Mr. PLAINTIFF, but someone in
6 the unit is typing in an order for the CT Scan; correct?

7 A Correct.

8 Q And they're doing a CT scan because they
9 suspected he has a head injury.

10 MS. BARDOT: Objection; lack of foundation,
11 calls for speculation.

12 THE COURT: Why they're doing the CT Scan is
13 beyond his ability to address.

14 BY MR. GLASS:

15 Q Let me ask it this way and we'll see if this
16 is okay.

17 Do you know why they ordered the CT scan?

18 MS. BARDOT: The same objection, Your Honor.
19 It calls for speculation, lack of foundation.

20 THE COURT: Well --

21 MR. GLASS: He may know.

22 THE COURT: Well, but he's not a physician, so
23 I'm not going to allow the question.

1 BY MR. GLASS:

2 Q You did look at these records and they formed
3 part of your conclusion here, because you -- because one
4 of the factors that you thought was important was that he
5 had no loss of consciousness, no diagnosis of concussion,
6 no amnesia, things like that; right?

7 A Correct.

8 Q That play a big role in your evaluation, your
9 testimony here today; correct?

10 A A big role is kind of a misstatement. I said
11 they play a role in my evaluation of the severity of
12 injury and likelihood of outcome.

13 Q Because if there was loss of consciousness, a
14 head injury, amnesia, that portends a worse outcome for
15 the patient than if he didn't have those things; right?

16 A You've got that correct.

17 Q And the number one diagnosis at the emergency
18 department that night was head injury; right?

19 A I don't know what the order was. It might
20 have been neck strain. I have no idea what the order --

21 Q I'll pop it right up there.

22 A They have head injury on it.

23 Q All right. And that's ordered in number as

1 well; right?

2 A You know, I have no idea. As the Judge said,
3 I'm not a physician. I don't know if when hospitals code
4 diagnoses if there's any importance of the order in which
5 they list them on there.

6 Q Okay. Fair enough.

7 You don't disagree that he presented with
8 confusion at the hospital then?

9 A I've seen that reported, yes.

10 Q And that his wife also said, "Hey, look. He
11 doesn't seem like he's all there."

12 A I saw that.

13 Q Words to that effect; right?

14 A Yes.

15 Q And that he complained of immediately feeling
16 confused and fuzzy? You don't disagree that that was one
17 of the complaints that he made at the emergency department
18 that night; right?

19 A I think that's correct. I'm not sure. Let me
20 look.

21 Q And the --

22 MS. BARDOT: Wait a minute. He said, "Let me
23 look." Let him answer the question please.

1 MR. GLASS: Oh, I'm sorry. I'll let you look,
2 here you go.

3 THE COURT: Do you have it there?

4 THE WITNESS: Yeah. Thank you.

5 BY MR. GLASS:

6 Q You're familiar, are you not, with just what's
7 called the review of systems?

8 A Yes, I am.

9 Q And in the neurologic review of systems, which
10 is the doctor evaluating the patient; right? --

11 A Yes, sir.

12 Q -- the very first words are "positive for
13 confusion"?

14 A Followed by, "negative for headache", which
15 kind of contradicts your earlier term, doesn't it?

16 Q Well, there is -- true. There is conflicting
17 information in the chart as to whether or not he reported
18 a headache; correct?

19 A Apparently from what you just shown us --

20 Q So it's not -- I'm sorry.

21 A From what you've just shown us, yes.

22 Q So it's not true to say he didn't have a
23 headache in the emergency department; right?

1 A What's true is that there's this contradiction
2 in the record.

3 Q Just like there's a contradiction -- there's a
4 variance about whether or not he lost consciousness or
5 not?

6 A There's no contradiction of that, it being
7 recorded that he didn't lose consciousness.

8 Q When they discharged him they gave him
9 instructions for a head injury; correct?

10 A On which ones they passed out, I might have a
11 note to that effect.

12 Q Here you go. Look at your screen if you like.
13 I'll show you.

14 A Okay. I see it.

15 Q Discharge instruction, it gives instructions
16 for a --

17 A Actually it's in my notes too. "Discharge
18 instructions provided for head injury, NOS."

19 Q Head injury --

20 A And he was told, "You do not seem to have a
21 serious brain injury."

22 Q Right. And one of the reasons that a
23 neuropsychological exam takes five, six, seven hours is

1 because you often miss -- health clinicians can miss
2 serious brain injury in an emergency department; is that
3 right?

4 A I think that's a gross misrepresentation of
5 what's done in the emergency department. In fact, they
6 would be held liable if they missed a serious brain
7 injury, like a brain bleed, something that required
8 attention.

9 Q Sure.

10 A I think they would be really disturbed to hear
11 that they have a likelihood of missing serious brain
12 injury. Some mild TBI, they might miss. A serious brain
13 injury, even a moderate brain injury, they're not going to
14 miss.

15 Q We're not talking bleeds and hemorrhages
16 and --

17 A We're talking about a moderately severe brain
18 injury or a severe brain injury, they're not going to
19 miss.

20 Q There has been some mention in this case about
21 medications that he was on at the time he presented to the
22 emergency department.

23 Are you familiar with the medications that he

1 was on?

2 A Yes.

3 Q And there's been a suggestion that he was on
4 Oxycodone at the time he was admitted to the emergency
5 department.

6 MS. BARDOT: Your Honor, first of all, this
7 goes beyond direct. Second of all, he's not a medical
8 doctor to diagnose -- he can't even prescribe medication,
9 so I think this is beyond the scope of his --

10 THE COURT: Overruled.

11 BY MR. GLASS:

12 Q I put the page up.

13 Oxycodone, percocet, that's what they gave him
14 to take with him after he had been to the emergency
15 department; right?

16 A That's -- that appears to be.

17 Q The discharge prescription --

18 A That and Cyclobenzaprine, which is Flexeril.

19 Q Flexeril, that's a muscle relaxer.

20 A Yeah. It has an effect on driving of about a
21 .15 BAC.

22 Q Thank you for that.

23 A They just published it.

1 Q And finally, we just now talked a minute ago
2 about serious brain injury and mild traumatic brain
3 injury.

4 That MRI can be normal in someone who has a
5 mild traumatic brain injury; correct? That's why we have
6 guys like you, neuropsychologists, who come in to do your
7 all day long testing; right?

8 A Well, you have me around for other reasons
9 too. But an MRI can be negative in a mild TBI.

10 Q Thank you, Doctor.

11 REDIRECT EXAMINATION

12 BY MS. BARDOT:

13 Q Dr. Kay, you are not a medical doctor;
14 correct?

15 A That's true.

16 Q And you don't make medical diagnoses or
17 differential diagnoses because you're not permitted to;
18 correct?

19 A I make diagnoses within the DSM-5, which some
20 people would call medical. Those are psychiatric mental
21 health disorder diagnoses.

22 Q Your role in this case, as in your normal
23 practice, is to perform testing to evaluate a patient to

1 see what their brain function is; correct?

2 A That's correct.

3 Q And that's what you did in this case?

4 A That's correct.

5 Q In doing that for the purposes of this case,
6 you gave Mr. PLAINTIFF the benefit of the doubt, that he
7 may have sustained a mild concussion; correct?

8 A That's right.

9 Q Because that's what his neurologist suggested,
10 so you said I'll take that worst case scenario and go from
11 there to give him the benefit of the doubt; correct?

12 A Correct.

13 Q And there was nothing objective that you saw
14 in the emergency room records or in the reports of the
15 accident that suggested that there was anything objective
16 to support his subjective complaint of confusion. It was
17 just self reporting; correct?

18 A That's what it is. If the hospital said --
19 you know, sometimes they'll write the person didn't know
20 what time of day, they're disoriented. They didn't
21 indicate that he was disoriented at all.

22 Q And you did not actually in this case, because
23 you weren't asked to and you're not a medical doctor, make

1 a diagnosis as to whether the accident triggered any sort
2 of depression; correct?

3 A I was not asked that, no.

4 Q And in fact, Mr. PLAINTIFF and his experts
5 deny he has depression; correct?

6 A I believe I can say that's the case for Dr.
7 Wilken. I don't remember if anyone else thought that he
8 had depression.

9 Q Okay.

10 A They haven't provided him with treatment for
11 depression, which I would recommend for him. But no,
12 they have not recommended it.

13 Q Certainly, given his symptoms as you saw them,
14 treatment would be effective most likely in making him
15 better; correct?

16 A Yes.

17 Q Now, you were asked by Mr. Glass, you know,
18 basically if the accident happened -- if he had left 10
19 minutes earlier and the accident hadn't happened, would he
20 still have had this constellation of symptoms the next
21 day.

22 And you indicated that you were aware of some
23 changes that were going on leading up to the accident, and

1 one of them you mentioned before you were cut off was that
2 he had this change of income in March of 2015.

3 Do you recall that?

4 A Correct.

5 Q And a change in income can be a significant
6 financial stressor that can lead to a trigger of some
7 depression and emotional problems; correct?

8 A Certainly.

9 Q I think you testified on direct that you were
10 also aware that in February 2015 and March 2015, he was
11 reported -- that he had returned to Dr. Abidin to start
12 getting additional treatment now again for his sleep
13 apnea, which had worsened; correct?

14 A Correct.

15 Q I think you also indicated that in that same
16 time frame he had now declined so much so, that when he
17 reported to Dr. Feola he was at 50 percent; correct?

18 A Yes. That was when I got cut off. I was
19 saying it was 50 percent.

20 Q And that was a significant change in his
21 functionality leading right up to the accident; correct?

22 A Well, that was in February. I don't know by
23 June how he was.

1 Q And you know in March of 2015 he continued to
2 complain of memory loss and confusion; correct?

3 A I don't remember how far those records went.
4 Can I take a quick look at Dr. Feola's notes?

5 Q Yes.

6 A It will just take me a second, I promise.

7 (The witness examined his file.)

8 The last record I have is May so -- May 26th.

9 Q And if you'd look at Exhibit No. 6 in that
10 white binder, which is in evidence --

11 A Sure.

12 Q -- on the fourth page.

13 A Page 4 of 4?

14 Q Yes. Are you aware that at May 11, 2015, he
15 is reporting memory loss and confusion in the weeks prior
16 to the accident; correct?

17 It's in the check box, at the top of the page.

18 A Oh. Yeah. He says memory loss and confusion,
19 yes.

20 Q So what you've now indicated is that leading
21 up to the accident we have a change in the beginning of
22 January where he's at 50 to 60 percent.

23 He now has diabetes, which you indicated could

1 be a factor which would affect your cognition and ability
2 to function; correct?

3 A Correct.

4 Q And we have February 13, 2015, where he's got
5 memory loss and confusion.

6 A Yeah. I do think it's important for the jury
7 to understand that the diabetes effect that I'm referring
8 to is an insidious -- it's a slow affect, not like
9 overnight maybe.

10 You do have an episode of very serious
11 hypoglycemia, so that can be terrible for you, but just
12 your hyperglycemia, your high blood sugar, over a long
13 period of time is having a diminishing effect on brain
14 function.

15 Q That's fair enough. I appreciate the
16 clarification. So let's just back up.

17 So January of 2015, he's declined now 50 to 60
18 percent of his usual functioning as reported to Dr. Feola;
19 correct?

20 A Correct.

21 Q A significant change; right?

22 A Right.

23 Q And then we've got February of 2015 where he's

1 got memory loss recurring; correct?

2 A Correct.

3 Q We've got his change in income in March of
4 2015, which puts the financial pressures upon him;
5 correct?

6 A I think that's correct.

7 Q We've got May 11, 2015, just before the
8 accident, where now in addition to memory loss he's got
9 confusion added in; correct?

10 A Correct.

11 Q You have not done any sort of differential
12 diagnosis, nor would you, to determine whether this
13 constellation of symptoms leading up to the accident,
14 versus the accident, versus something else, was the
15 trigger for his now self reported inability to function;
16 correct?

17 A That's right.

18 Q You were asked by Mr. Glass, was there
19 anything documenting that he had a memory for hearing
20 screeching of brakes, metal on metal, explosion of the air
21 bag; do you remember that?

22 A I remember him asking me that.

23 Q Do you remember if there was anything

1 documented either way with respect to those issues?

2 A No. I don't think there was that I remember
3 seeing.

4 Q Sure. You were asked by Mr. Glass, "What did
5 Mr. PLAINTIFF tell Dr. Feola regarding the accident?"; do
6 you recall that?

7 A I remember him asking. I told him what I read
8 in the chart.

9 Q And you saw Dr. Feola's deposition transcript?

10 A Yes.

11 Q I'm going to put it up for you here and ask
12 you to look at Page 52. And this is Dr. Feola's
13 deposition outlining what he was told by Mr. PLAINTIFF about
14 the accident. Take a look at that for me please.

15 MR. GLASS: Hearsay objection.

16 MS. BARDOT: He asked him what he told Dr.
17 Feola. He can't ask him and then say I can't tell -- ask
18 him to look at what he told him.

19 THE WITNESS: Can you focus that, Ms. Bardot?

20 MS. BARDOT: Oh, I don't know.

21 THE WITNESS: It could be my eyes.

22 BY MS. BARDOT:

23 Q No, it's not.

1 A That's better. They get stuck in a particular
2 focal length. All right. And then he did --

3 Q Wait a minute.

4 A That would make it real hard for me.

5 Q Right here it says, "And then as he described
6 the accident, he was in Vehicle A going through an
7 intersection, and the person in Vehicle B ran a red light
8 and hit him on the driver's side. The air bags deployed."
9 That plus AB means air bag. "He had the seat belt on,
10 which is seat belt.

11 Do you see that?

12 A Yes.

13 Q So he in fact did tell Dr. Feola in some
14 detail exactly what occurred; correct.

15 A Apparently, yes.

16 Q He recalls that he was pulling out, another
17 car ran a light, the other car hit him, and the air bags
18 deployed.

19 A He just -- he didn't mention anything about
20 screeching there.

21 Q Right. But I mean, he didn't mention it
22 either way. He didn't -- it's just in absence.

23 A It's not there.

1 Q Okay. And you don't know what type of doctor
2 saw him at the emergency room; correct?

3 A An emergency room doctor, I would presume.

4 Q Right. So you don't know if it was a
5 neurologist?

6 A No. Well, most often you're not going to see
7 a -- you could, but most often you're not going to see a
8 board certified neurologist in the emergency department.

9 Q Right. So when they put, "Head injury
10 unspecified," you don't know how they came to that
11 conclusion, what they meant by that, what test they did,
12 whether they just believed that he had confusion because
13 he said so?

14 You don't have any basis for knowing if there
15 was anything to support "head injury unspecified"?

16 A I think it's based on the patient's report
17 (inaudible).

18 Q Because there's nothing else in there that
19 would support it other than his subjective complaint;
20 correct?

21 A Correct. It wasn't demonstrated by the exam.
22 It wasn't demonstrated by the imaging.

23 Q Thank you. That's all I have.