



PLANET DEPOS®

We make it >> *happen.*™

Transcript of **William J. Schirmer, MD**

**Date:** November 24, 2015

**Case:** Hommel -v- King, M.D., et al

Planet Depos, LLC

Phone: 888-433-3767

Fax: 888-503-3767

Email: [transcripts@planetdepos.com](mailto:transcripts@planetdepos.com)

Internet: [www.planetdepos.com](http://www.planetdepos.com)

Worldwide Court Reporting | Interpretation | Trial Services

Videotaped Deposition of William J. Schirmer, MD  
 Conducted on November 24, 2015

1	1 VIRGINIA: 2 IN THE CIRCUIT COURT FOR THE 3 CITY OF FREDERICKSBURG 4 Christine Hommel, : 5 Plaintiff, : 6 vs. : Case No. CL14-349 7 Bradford Lynn King, M.D., and : 8 Surgical Associates of : 9 Fredericksburg, Ltd., : 10 Defendants. : 11 --- 12 VIDEOTAPED DEPOSITION OF WILLIAM J. SCHIRMER, MD 13 --- 14 Tuesday, November 24, 2015 15 4:21 p.m. 16 Anderson Reporting Services 17 3242 West Henderson Road, Suite A 18 Columbus, Ohio 43220 19 --- 20 SHAYNA M. GRIFFIN, RPR, CRR 21 --- 22	3
2	1 APPEARANCES: 2 MR. BENJAMIN W. GLASS, III, Attorney at Law 3 Benjamin W. Glass III & Associates, PC 4 3915 Old Lee Highway, Suite 22B 5 Fairfax, Virginia 22030 6 703.591.9829 7 bglass@benglasslaw.com 8 On behalf of the Plaintiff. 9 MR. ROBERT F. DONNELLY, Attorney at Law 10 Goodman, Allen & Donnelly 11 4501 Highwoods Parkway, Suite 210 12 Glen Allen, Virginia 23060 13 804.346.0600 14 rdonnelly@goodmanallen.com 15 On behalf of the Defendants. 16 --- 17 ALSO PRESENT: 18 KRISTEN COLOMBO, Videographer 19 --- 20 21 22	4
1	1 I N D E X 2 --- 3 WITNESS PAGE 4 WILLIAM J. SCHIRMER, MD 6 5 Cross-Examination (By Mr. Glass) 6 --- 7 EXHIBITS (pre-marked) 8 Exhibit 1 (Excerpt of Clay Deposition) 13 9 Exhibit 2 (Excerpt of Clay Deposition) 14 10 Exhibit 3 (Excerpt of Lumpkin Deposition) 14 11 Exhibit 4 (Excerpt of Lumpkin Deposition) 16 12 Exhibit 5 (Excerpt of Lumpkin Deposition) 17 13 Exhibit 6 (Excerpt of Sullivan Deposition) 17 14 Exhibit 7 (Excerpt of Thomas Deposition) 20 15 Exhibit 8 (Excerpt of Clay Deposition) 22 16 Exhibit 9 (Excerpt of Clay Deposition) 23 17 Exhibit 10 (Excerpt of Harvey Deposition) 25 18 Exhibit 11 (SAGES Guideline) 26 19 Exhibit 12 (Excerpt of Sullivan Deposition) 26 20 Exhibit 13 (Excerpt of Clay Deposition) 32 21 Exhibit 14 (Excerpt of Harvey Deposition) 22 Exhibit 15 (Excerpt of Barry Deposition)	3
1	1 EXHIBITS CONTINUED 2 Exhibit 16 (Excerpt of Clay Deposition) 3 Exhibit 17 (Excerpt of Clay Deposition) 4 Exhibit 18 (Excerpt of Barry Deposition) 47 5 Exhibit 19 (Excerpt of Clay Deposition) 47 6 Exhibit 20 (Excerpt of Sullivan Deposition) 20 7 Exhibit 21 (Excerpt of Clay Deposition) 51 8 Exhibit 22 (Excerpt of Lumpkin Deposition) 52 9 Exhibit 23 (Excerpt of Jordan-Pereira Deposition) 52 10 Exhibit 24 (Excerpt of Cotner Deposition) 24 11 Exhibit 26 (Excerpt of Barry Deposition) 53 12 Exhibit 27 (Excerpt of Lumpkin Deposition) 54 13 Exhibit 28 (Excerpt of Cotner Deposition) 57 14 Exhibit 29 (Excerpt of Cotner Deposition) 57 15 Exhibit 30 (Excerpt of Harvey Deposition) 60 16 Exhibit 31 (Excerpt of Barry Deposition) 63 17 Exhibit 32 (Excerpt of Jordan-Pereira Deposition) 18 Exhibit 36 (Excerpt of Harvey Deposition) 65 19 Exhibit 40 (Excerpt of Jordan-Pereira Deposition) 70 20 Exhibit 73 (3-28 Letter to Mark Smith, RE: Huffman)83 21 **Some pre-marked exhibits not used** 22	4

5

1 PROCEEDINGS  
2 ---  
3 MR. GLASS: DVD and Etran, mini, email,  
4 regular delivery.  
5 MR. DONNELLY: I'll have the same thing.  
6 Etran, mini is fine, and I'll take a copy of the  
7 tape.  
8 THE VIDEOGRAPHER: Here begins tape No. 1  
9 in the videotaped deposition of William Schirmer,  
10 MD, in the matter of Christine Hommel versus  
11 Bradford Lynn King, MD, et al., in the Circuit Court  
12 for the City of Fredericksburg, State of Virginia,  
13 Case No. CL14-349.  
14 Today's date is November 24th, 2015. The  
15 time is 1621. My name is Kristen Colombo. I am the  
16 videographer. The court reporter is Shayna Griffin.  
17 Counsel will now introduce themselves.  
18 MR. GLASS: My name is Ben Glass, and I  
19 represent Christine Hommel.  
20 MR. DONNELLY: My name is Robert Donnelly,  
21 and I represent Dr. King and his surgical group.  
22 THE VIDEOGRAPHER: The reporter will now

6

1 swear in the witness.  
2 ---  
3 WILLIAM J. SCHIRMER, MD  
4 ---  
5 being by me first duly sworn, as hereinafter  
6 certified, testifies and says as follows:  
7 CROSS-EXAMINATION  
8 ---  
9 BY MR. GLASS:  
10 Q. Dr. Schirmer, good afternoon. My name is  
11 Ben Glass. I'm an attorney from Fairfax, Virginia,  
12 and you've been identified as an expert to testify  
13 in this case that we have involving gallbladder  
14 surgery against Dr. Bradford King of Fredericksburg;  
15 right?  
16 A. Correct.  
17 Q. As we sit here today, how many open  
18 medical malpractice files do you have where the  
19 subject is gallbladder removal surgery? Open,  
20 meaning you've done work and it hasn't gone to trial  
21 and they haven't told you to get rid of the files  
22 yet.

7

1 A. I don't -- I'm trying to remember if there  
2 are any open right now. I -- there might be a  
3 couple; but, again, it's nothing I've recently  
4 discussed with attorneys. So, you know, sometimes I  
5 don't know whether these are gone or what the status  
6 is after a discussion. I may have one or two, and  
7 that's a "may." I'm not even sure of that.  
8 Q. Okay. In this year, 2015, have you given  
9 any depositions or testified at trial in a  
10 gallbladder removal surgery?  
11 A. Again, I don't know that I've given  
12 depositions in gallbladder removal surgery this  
13 calendar year.  
14 Q. All right. In this case, I take it that  
15 your view is that Dr. King violated the standard of  
16 care in his surgery; correct?  
17 MR. DONNELLY: Object to the form of the  
18 question. Object to the relevance. It's beyond the  
19 scope of his designation. And no testimony will be  
20 elicited on standard of care from Dr. Schirmer.  
21 MR. GLASS: Sure.  
22 A. No, I have not -- I have not formed any

8

1 opinion about that.  
2 Q. What were you asked to do?  
3 A. I was asked to review the medical records,  
4 which I have here, and then contact -- I think it  
5 wasn't Mr. Donnelly -- someone in his office, maybe  
6 a paralegal, and just share my opinions or my  
7 thoughts regarding the original medical records I  
8 reviewed.  
9 Q. Okay. And so to be sure, you reviewed the  
10 records of his surgery, the records of the care in  
11 North Carolina, the cholangiogram. I assume you've  
12 reviewed various depositions in the case?  
13 A. Yeah. Originally, I had not. Originally,  
14 I just reviewed the medical records --  
15 Q. Of course.  
16 A. -- so I've -- I've reviewed now several  
17 depositions since. But at the time I reviewed the  
18 medical records that included what you said, the  
19 gallbladder surgery, the North Carolina Hospital  
20 records, the UNC Chapel Hill records and some -- and  
21 they included some imaging studies that were  
22 actually part of the medical records.

9

1 Q. All right. And you're telling us you  
2 formed no opinion about Dr. King's conduct of the  
3 surgery?  
4 **A. I had not formed -- I didn't have enough  
5 at that time to form an opinion. I had -- I  
6 reviewed the material, I discussed issues, I guess,  
7 or my thoughts at the time. I can't remember the  
8 even the -- exactly what I said in totality. But  
9 that was the first thing I did. I didn't --**  
10 Q. Well, I'm talking about here today, here  
11 in November, whatever it is, 23rd. You have formed  
12 no opinions, having reviewed the medical records and  
13 the cholangiogram and Dr. King's deposition  
14 testimony, about whether he violated the standard of  
15 care in doing the surgery?  
16 **A. That's correct.**  
17 MR. DONNELLY: Same objection.  
18 BY MR. GLASS:  
19 Q. All right. Now, for two decades you've  
20 been involved in medicolegal cases; correct?  
21 **A. Correct.**  
22 Q. Giving lots and lots of testimony in

10

1 depositions and at trial; correct?  
2 **A. Correct.**  
3 Q. And a significant portion of that work has  
4 been in these gallbladder removal cases; correct?  
5 **A. Not the majority, but several, yes.**  
6 Q. More than several. But we'll go over it.  
7 **A. Sure.**  
8 Q. All right. And in that -- in those two  
9 decades, you have testified in gallbladder removal  
10 cases more often for the patient than for the  
11 doctor; correct?  
12 **A. Correct.**  
13 Q. And you have been critical, time and time  
14 again, of doctors who failed to identify the hepatic  
15 duct in surgery; correct?  
16 **A. I wouldn't say that -- you know, that  
17 specifically. I've been critical of physicians in  
18 the conduct of various gallbladder operations, yes.**  
19 Q. We'll go through the specifics.  
20 You've been critical of physicians who  
21 failed to identify the triangle of Calot; correct?  
22 **A. As one of the methods for identifying the**

11

1 **anatomy. I don't want to -- again, the way you're  
2 phrasing the question, it sounds like if that -- if  
3 they don't identify the triangle of Calot that I'm  
4 critical. There are other things --**  
5 Q. Sure.  
6 **A. -- so it's -- as a component, there are  
7 some requirements for the procedure to conform with  
8 the standard of care, and among those is identifying  
9 the structures of the triangle of Calot.**  
10 Q. You're been critical of physicians who  
11 should have cholangiogrammed intraoperatively that  
12 doesn't show the entire biliary tree and they  
13 proceed with the surgery; correct?  
14 **A. I can't remember a specific case in that  
15 regard, but if, you know, there are -- I'd -- if you  
16 point it out to me, I'm sure I stand by whatever  
17 I've said in the past.**  
18 Q. We will.  
19 And that brings me to this question: Over  
20 the last ten years, at least, the science of  
21 gallbladder removal surgery has not changed in any  
22 significant way, has it?

12

1 **A. That's -- that's probably true, yes.**  
2 Q. All right. In fact, you've testified in  
3 the past that when there's a transection and a  
4 removal of a portion of the common duct, be it the  
5 common bile or common hepatic duct, that that speaks  
6 for itself and that is negligence?  
7 **A. No. I have never -- I don't -- I've not  
8 said that. And if it came through as I said that, I  
9 would have to look at exactly what I said and  
10 clarify. I don't think it speaks for itself.**  
11 Q. Okay. All right. Well, let's -- let's  
12 start looking. Oh, you've also testified that a  
13 normal cholangiogram is a cholangiogram where the  
14 entire biliary tree lights up; correct?  
15 **A. That's part of a normal cholangiogram.**  
16 Q. And a physician who is doing a gallbladder  
17 removal surgery who does not get a normal  
18 cholangiogram needs to prove why it's not normal;  
19 correct?  
20 **A. If -- if the cholangiogram is not normal,  
21 additional steps need to be taken if there's a  
22 question about the anatomy, if that's the purpose of**

13

1 **the cholangiogram.**  
2 Q. Right. And because -- because a  
3 cholangiogram is a really good tool for seeing the  
4 biliary anatomy, and seeing the biliary anatomy is a  
5 really critical part of doing these surgeries;  
6 correct?  
7 **A. I would agree with that, yes.**  
8 Q. All righty. So your -- well, we're going  
9 to mark -- actually, we've premarked all of these  
10 deposition transcripts, so each one, just so you  
11 know, Doctor, has the face sheet of the case that  
12 you testified in, and then I've got different  
13 segments taken out. And I'm just going to get  
14 you -- I want to talk about your prior deposition  
15 and trial sworn testimony.  
16 And so the first one is simply that when  
17 you -- the majority of the medicolegal work you do  
18 is defending physicians; correct?  
19 **A. Yes.**  
20 Q. And so -- and for the record, Exhibit 1 is  
21 from -- a transcript from trial testimony almost two  
22 years ago now, January 28, 2014, when you testified

14

1 against Dr. Linda Bailey in Greene County, Ohio.  
2 Here's No. 2. Exhibit 2 is, again,  
3 testimony from that same case where you say every  
4 once in a while you agree to review cases on behalf  
5 of defendants, and you find them -- you'll say you  
6 can't defend it and here's why, and you'll educate  
7 the lawyer in the case; correct?  
8 **A. Correct.**  
9 Q. Have you educated Dr. King's lawyers in  
10 this case about the issues regarding the standard of  
11 care?  
12 **A. I don't believe I have. I don't think I**  
13 **spoke with an attorney from their firm until later**  
14 **in the case. I spoke with a paralegal.**  
15 Q. Okay. So let me broaden the question to  
16 include attorneys and paralegals. Have you spoken  
17 with the defense legal team here about issues about  
18 the standard of care?  
19 **A. I don't know that I have. I don't think**  
20 **it never came to that point.**  
21 Q. All right. Exhibit 3 is from the Kimberly  
22 Lumpkin case, which is in February of 2003, where

15

1 you testified against John Mobley, MD.  
2 You train doctors how to do this surgery;  
3 correct?  
4 **A. I had, yes. I mean, as part of my -- in**  
5 **my career I had participated in a lab where we were**  
6 **training physicians in laparoscopic cholecystectomy.**  
7 Q. On what we're talking about here today;  
8 correct?  
9 **A. Well --**  
10 Q. Laparoscopic cholecystectomy cases.  
11 **A. Right. I was asked today to talk about --**  
12 Q. I know.  
13 **A. -- the repair, so I've done that too.**  
14 Q. I get that. But I found all of this  
15 testimony through -- through two decades --  
16 **A. Sure.**  
17 Q. -- of you identifying and testifying  
18 against physicians about breaches of the standard of  
19 care. And I just want to get all of that on our  
20 record and then ask you some factual questions about  
21 this case. And then we'll talk about the damages.  
22 Exhibit -- and by the way, that pile will

16

1 go to the court reporter later, so just --  
2 **A. Okay.**  
3 Q. -- give them back.  
4 So Exhibit 4 is -- well, Exhibit 4 is  
5 trial testimony again from the Lumpkin case where  
6 you, in a shortcut way --  
7 MR. DONNELLY: I'm sorry. Do you mean  
8 Exhibit 3?  
9 MR. GLASS: No, Exhibit 4. Exhibit 3 we  
10 just did.  
11 MR. DONNELLY: Oh, I didn't get 4. I'm  
12 sorry.  
13 MR. GLASS: I'm going to give it to you.  
14 I'm sorry.  
15 MR. DONNELLY: Oh, okay.  
16 BY MR. GLASS:  
17 Q. It just talks about your experience. You  
18 have a ton of experience in the area of laparoscopic  
19 gallbladder surgery and, in fact, you were at the  
20 Lahey Clinic when laparoscopic gallbladder surgery  
21 started; right?  
22 **A. Correct.**

17

1 Q. And you all -- you doctors at the Lahey  
2 Clinic actually started a training program, and you  
3 continued that teaching of physicians when you went  
4 to Ohio State as a professor; right?  
5 **A. Correct.**  
6 Q. All right. And you have been instrumental  
7 in publishing the literature on how to do this  
8 surgery in a safe and non-complicated way. And  
9 that's from Exhibit 5 which, again, is from the  
10 Lumpkin case?  
11 **A. Correct.**  
12 Q. And Exhibit 6, which is testimony from a  
13 deposition given in 2003 in the Jennifer Sullivan  
14 versus Dr. Awender case, you listed a number of  
15 books that you found supported your views of how to  
16 do safe laparoscopic gallbladder surgery. And if  
17 you -- the print is small, you can take a moment to  
18 look at it.  
19 **A. Okay.**  
20 Q. Now, this deposition is in 2003.  
21 **A. Right.**  
22 Q. Are these still good sources for guidance

18

1 as to doing this surgery in a safe way?  
2 **A. You know, I don't know the -- on page 42**  
3 **of this, I'm not sure what the question was. I'm**  
4 **not -- I'm reading an answer that's somewhat**  
5 **highlighted. I don't know what the question was on**  
6 **that. And on page 43 it -- it says the question is**  
7 **what is that book and how does it support your**  
8 **opinion. I refer to a book called Maingot's**  
9 **Abdominal Operations, 9th Edition. It makes the**  
10 **point of identifying the relevant anatomy before**  
11 **transecting structures. Discusses the necessity of**  
12 **doing that before transecting what one presumes is**  
13 **the cystic duct. I agree with that premise. I**  
14 **don't -- I don't have that book. I -- at the time**  
15 **that's what I said, and I still agree with that.**  
16 **Going to page 44, it talks about another**  
17 **book, Surgery of the Alimentary Tract, it's a**  
18 **five-volume set. I had one of the volumes. It**  
19 **discusses safeguards that basically is everything --**  
20 **anatomy should be visualized and identified before**  
21 **anything is cut. I -- I agree with that.**  
22 Q. Still agree with that; right?

19

1 **A. Another book, Surgical Treatment of**  
2 **Digestive Diseases, a chapter on gallbladder surgery**  
3 **and techniques. Discussed a technique of exposing**  
4 **the triangle of Calot before anything is cut to**  
5 **clarify the anatomy. Talks about cholangiography.**  
6 **I agree with that.**  
7 **And the final thing that's highlighted,**  
8 **Surgery of the Liver and Biliary Tract, a two-volume**  
9 **series. Talks about the operation, assessing and**  
10 **identifying -- yeah, I agree with -- so I agree. I**  
11 **don't have these books but, you know, what I've --**  
12 **what I'm talking about here, I agree with that.**  
13 Q. Are they still reliable authorities in  
14 this area, which is the safe -- techniques for safe  
15 gallbladder removal surgery?  
16 MR. DONNELLY: Object to the form.  
17 **A. I wouldn't say the books are reliable**  
18 **authorities. I'm just saying that these books talk**  
19 **about and then I specify what they talk about. I**  
20 **wouldn't -- I can't just say, oh, the whole**  
21 **book is -- these are dated. Obviously, this was a**  
22 **2003 deposition and the -- and the -- I have no idea**

20

1 **when these books were published, but I -- to -- you**  
2 **know, to what extent of what I've talked about here,**  
3 **I agree with what's discussed on these few pages --**  
4 Q. Right.  
5 **A. -- but I can't say the whole book, sir.**  
6 Q. Exhibit 7 is, again, from the -- well,  
7 it's from the Kimberly Thomas case, which was in  
8 Indiana. And in that case you testified that when  
9 you -- when you talk about something being a breach  
10 of the standard of care, you mean that it is not at  
11 all acceptable under any circumstances, and there's  
12 no room for that kind of thinking or judgment or  
13 action. That's when I think something is outside  
14 the standard of care. And that was from about three  
15 months ago.  
16 MR. DONNELLY: And I'll object to the  
17 form.  
18 Is there a question?  
19 BY MR. GLASS:  
20 Q. Well, is that still your view?  
21 **A. Well, in the context of this answer, I**  
22 **think I must have been -- I'm trying to see what I**

21

1 was trying to explain. That was the second  
2 paragraph of a -- this looks like a long-winded  
3 answer.  
4 It's talking about robotic-assisted  
5 surgery, and I'm -- I'm just speaking in general  
6 terms about what is -- you know, what my definition  
7 in medicine is reasonable, and talking about when a  
8 physician is confronted with same or similar  
9 circumstances and whether their actions are logical  
10 or reasonable, or whether there's no -- it's outside  
11 of anything that's logical or reasonable, that's a  
12 violation.  
13 Q. Right.  
14 A. So, I mean, I don't know if it's maybe  
15 inartfully worded, but think in general I agree with  
16 what I said.  
17 Q. That's cool.  
18 So when you had testified in the past in  
19 all these cases that something is outside the  
20 standard of care, you meant that it was not logical  
21 and not reasonable to do under the circumstances;  
22 correct?

22

1 A. For a reasonably prudent physician to do  
2 under those circumstances, yes.  
3 Q. Okay. Exhibit 8 is from -- this is from  
4 trial testimony again in the Vernon Clay versus  
5 Linda Bailey case. And in this -- at trial you  
6 testified that the SAGES, S A G E S -- the SAGES  
7 guidelines were reliable authorities for a safe  
8 performance of laparoscopic cholecystectomy.  
9 A. I don't think I -- I just said I agree  
10 with what's written. I don't -- I don't think I  
11 ever said they are reliable authorities. Maybe  
12 that's just a different use -- I -- the questions  
13 here have to do with the SAGES guidelines. I  
14 said -- the question was do they publish guidelines.  
15 I said they have published these guidelines. I'm  
16 talking about gallbladder -- or laparoscopic  
17 cholecystectomy and recommendations for safe. It  
18 says, do you find that those are reasonable and  
19 reliable and an authority on that particular issue.  
20 I say I've read those guidelines and I agree with  
21 them.  
22 Q. Okay. So --

23

1 A. So I agree with what I said.  
2 Q. And you agree that the SAGES guidelines on  
3 safe performance of laparoscopic gallbladder removal  
4 are reliable authority?  
5 A. I wouldn't say -- they are not -- no,  
6 guidelines are not -- I would say they are  
7 reasonable. Maybe they are reasonable guidelines.  
8 I do agree with that. But those -- I said I read  
9 those guidelines and I agree with them. In other  
10 words, I think the guidelines that they offer as to  
11 the safe conduct of cholecystectomy are reasonable.  
12 You know, those guidelines are not all encompassing  
13 because I don't do exactly what they say in those  
14 guidelines.  
15 Q. I get it.  
16 A. And there's more than one way to do it;  
17 but what they say is a reasonable way of doing the  
18 gallbladder.  
19 Q. Take a look at Exhibit No. 9, and I'll  
20 proffer to you that on Exhibit 9, which is, again,  
21 more pages from the -- Linda Bailey was the  
22 defendant case -- you walked through --

24

1 A. Here's the -- you gave me two.  
2 Q. I gave you two?  
3 A. You gave me 9 and 10. That's -- that's  
4 10, so...  
5 Q. I'm sorry.  
6 MR. DONNELLY: I have 10 too.  
7 We'll get to 10 in a minute.  
8 BY MR. GLASS:  
9 Q. Again, Exhibit 9 is testimony from the  
10 Clay versus Dr. Linda Bailey case where -- my words  
11 now -- you walked through how the SAGES guidelines  
12 describe safe performance of laparoscopic  
13 gallbladder removal. And my question is: Isn't  
14 that true?  
15 A. I talk about that, yes, the critical view,  
16 that that's what they talk about in the SAGES  
17 guidelines, yes.  
18 So I -- I believe, yes, I'm trying to  
19 discuss the SAGES guidelines and how their approach  
20 is one way of doing safe gallbladder surgery, yes.  
21 Q. All right. How -- how those guidelines  
22 can be used by a physician to do the surgery in a

25

1 safe way without taking out a part of a hepatic  
2 duct; right?  
3 **A. Well, it's to do the surgeon a safe way.**  
4 **The SAGES guidelines describe a technique that is**  
5 **designed to help the surgeon be certain that the**  
6 **ducts that they have identified, the anatomy they**  
7 **have identified, are the ones that are safely**  
8 **clipped and -- to remove the gallbladder.**  
9 Q. And that really is the -- the ultimate  
10 principle here is that we want to -- we, as  
11 surgeons, want to make sure that before we cut,  
12 we're cutting the right duct, the cystic duct in  
13 this case; correct?  
14 **A. That's the objective, yes.**  
15 Q. All righty. So Exhibit 10 is from -- is a  
16 deposition testimony, Bob, in a case called Gloria  
17 Davis versus Dr. James Corpus, where you said that  
18 the -- that the principles of safe laparoscopic  
19 cholecystectomy were developed because we don't want  
20 to go in and make a mistake of cutting something  
21 wrong. And my question is: Isn't that right?  
22 **A. Correct.**

26

1 Q. All righty. Exhibit No. 10 is simply the  
2 SAGES guidelines that you were talking about --  
3 **A. Or 11.**  
4 Q. -- in the -- in the trial that you  
5 testified in.  
6 MR. DONNELLY: 11.  
7 MR. GLASS: Exhibit No. 11. Thank you,  
8 sir. Sorry.  
9 **A. Yeah, this is the SAGES -- the SAGES**  
10 **guidelines. These are the guidelines.**  
11 Q. All righty. Now, switching gears a bit,  
12 come off of the gallbladder; correct?  
13 **A. Well, the main duct that comes off the**  
14 **gallbladder is the cystic duct. There are --**  
15 **there's things called ducts of Luschka which can**  
16 **come off variably from different parts of the**  
17 **gallbladder, but the main drainage from the**  
18 **gallbladder is the cystic duct.**  
19 Q. Of course. But you have testified before  
20 that a variety of ducts can come off of the  
21 gallbladder. I'll show it to you. It's Exhibit  
22 No. 12 --

27

1 **A. Okay.**  
2 Q. -- which is testimony in the -- deposition  
3 testimony in the Jennifer Sullivan versus  
4 Dr. Awender, A W E N D E R, case.  
5 **A. Yeah, I think that inartfully answered.**  
6 **What I -- and I went on to say -- I clarified in my**  
7 **response, there are variations in the cystic duct**  
8 **and they -- so what I meant was the cystic duct is**  
9 **the main duct that drains the gallbladder. But**  
10 **where the cystic duct inserts into the rest of the**  
11 **biliary tree is where the variability comes in; so**  
12 **that's the anatomic variability.**  
13 Q. But my question was, you have testified --  
14 and I'll show you other instances right here in a  
15 moment -- that a variety of ducts can come off of  
16 the gallbladder.  
17 **A. Well, I'm trying to understand -- if I**  
18 **read my --**  
19 Q. It's page 29, line 6.  
20 **A. Right. And in that same answer, in**  
21 **that -- I continued on. I said there's typical**  
22 **anatomy. I could draw it for you. And that is**

28

1 **present in 40 percent of the cases, so 60 percent of**  
2 **the cases there is variations. Among those**  
3 **variations is the cystic duct can empty into the**  
4 **right hepatic duct, not enjoin the common hepatic**  
5 **duct -- the common hepatic duct or common bile duct.**  
6 **There can be variations in the length and the course**  
7 **of the cystic duct. The cystic duct can be absent,**  
8 **so -- I got stopped.**  
9 **So what I was saying here is that -- what**  
10 **I'm saying and clarifying in my answer here is that**  
11 **the cystic duct is the duct that comes off the**  
12 **gallbladder. But where that -- how that -- you**  
13 **know, where that duct goes, how it courses, into**  
14 **what it empties is variable. That's what -- it's**  
15 **not that there are -- the answer that said there are**  
16 **a variety of ducts that come off the gallbladder, it**  
17 **should be there are -- more precisely, there are a**  
18 **variety of ducts that can -- into which the duct**  
19 **draining the gallbladder can attach. In other**  
20 **words, there are a lot of -- the duct work is not**  
21 **a -- one, this is how it is in everybody. It's like**  
22 **this in many people, but there are a lot of**



29

1 variabilities. I tried to explain that, you know,  
2 so -- but I'm only aware of one major duct that  
3 comes off the gallbladder, it's the cystic duct.  
4 And then the other smaller ducts, the ducts of  
5 Luschka, are very small.  
6 So I -- I think -- and I went on in this  
7 answer to explain that there is -- there is a  
8 condition called an absent cystic duct, so there are  
9 times when there is no cystic duct. But if a duct  
10 drains the gallbladder, it is the cystic duct, or  
11 it's either present or absent.  
12 Q. I've got more of these.  
13 A. Okay.  
14 Q. You can keep trying to explain it. The  
15 question the -- the lawyer representing the doctor  
16 asked you, which is -- because he tried to make that  
17 point. He tried to make the point, there's only one  
18 duct coming off the gallbladder. And he said what  
19 is the only duct that comes off the gallbladder, and  
20 you said a variety of ducts can come off the  
21 gallbladder. And isn't it true that you've  
22 testified in numerous occasions that a physician who

30

1 eyeballs a duct coming off the gallbladder cannot  
2 presume and assume that that is the cystic duct,  
3 because multiple ducts, a variety of ducts, can come  
4 off the gallbladder?  
5 A. That's not what I've ever intended to say.  
6 Q. Okay.  
7 A. So if -- and I'm clarifying here. I know  
8 what I'm saying. I think if -- sometimes if I'm  
9 speaking with an attorney and we're not on the same  
10 page -- I said in this thing, the variation in the  
11 cystic duct can empty into the right hepatic duct,  
12 and so -- and so on and so forth. In other words,  
13 the duct that drains the gallbladder, which is the  
14 cystic duct, can have variable course and drain into  
15 more than one location. It's not that -- it's  
16 not -- I don't -- I don't label the ducts. The  
17 gallbladder -- the bladder is called the -- it's the  
18 cholecyst, and the duct that drains that is the  
19 cystic duct. That's by -- that's the anatomic  
20 definition. I can't rename ducts. There's only one  
21 duct that drains the gallbladder. It's the cystic  
22 duct. Now, how that -- where that duct goes is

31

1 variable.  
2 Q. I don't disagree with you on the anatomy.  
3 I'm concerned about sworn testimony given in other  
4 cases. And I'm going to show you another one here  
5 in just a minute. So, I mean, I can read the  
6 anatomy books as well as -- almost as well as you  
7 can, I guess.  
8 You would agree that seeing that a  
9 physician --  
10 A. Then I go on -- let me finish here.  
11 Q. Go ahead.  
12 A. On page 30, I say in this same thing that  
13 you are referencing -- I said, so the cystic duct is  
14 the duct that -- is the name of the duct that drains  
15 the gallbladder. So I'm clarifying in my answer  
16 here. There are so many variations, and what it  
17 empties into is variable. But the normal is the  
18 common -- I should say where it drains. But I say  
19 here the cystic duct is the duct -- just the name of  
20 the duct that drains the gallbladder. So that's --  
21 that's the duct that drains the gallbladder.  
22 Q. Gotcha. That's what I thought too. But

32

1 you've testified that seeing -- that a physician who  
2 sees a ductile structure enter the gallbladder  
3 cannot assume that that ductile structure is the  
4 cystic duct.  
5 A. Well, I -- and if I were to go on and  
6 clarify that, I would say the perception, if --  
7 another surgeon's perception is not necessarily that  
8 the duct is entering. It could be adjacent to, and  
9 I -- so, yes, in many -- in many depositions in  
10 trying to explain some -- why some of the principles  
11 apply --  
12 Q. Did I hand you -- I'm sorry. Did I hand  
13 you 13?  
14 A. 12.  
15 Q. So I've got just two of 13, apparently.  
16 A. Here's 13. I'm sorry.  
17 Q. You have Exhibit 13?  
18 A. Yeah, it stuck to the back.  
19 MR. GLASS: Here's one for you, Bob.  
20 MR. DONNELLY: Thank you.  
21 BY MR. GLASS:  
22 Q. So 13, which was testimony -- again, this

33

1 was the deposition testimony in the Clay versus  
2 Dr. Linda Bailey and Surgical Association of Greene  
3 County case. You testified again at line 15 -- you  
4 can read it -- and so seeing a duct entering the  
5 gallbladder is not sufficient. You need to develop  
6 more.  
7 **A. (Indicates affirmatively.) Yes.**  
8 Q. And the reason is because there can be a  
9 variety of ducts that enter the gallbladder?  
10 **A. No.**  
11 Q. All right.  
12 **A. It's not because there are a variety of**  
13 **ducts.**  
14 Q. Tell me the reason why. Why should a  
15 physician who perceives that they see a duct running  
16 into the gallbladder not assume that that is the  
17 cystic duct?  
18 **A. And I go on in this -- on this same**  
19 **answer. I'm trying to answer in this case. I said**  
20 **there are situations where the cystic duct and the**  
21 **common hepatic duct can run together parallel and be**  
22 **fused. So I'm talking about the difference between**

34

1 **perception -- visual perception of a surgeon who**  
2 **says I see the duct doing this, and the possibility**  
3 **that there are other -- by either congenital, the**  
4 **way it is, the way they are born, or by disease --**  
5 **can give that false impression. So what I'm trying**  
6 **to explain is the -- that gets into the whole**  
7 **principles and the fundamentals of the gallbladder**  
8 **operation.**  
9 **So, again, it's not saying that there's**  
10 **more than one duct. It's saying that the -- there**  
11 **is -- the duct -- as I've said earlier, that there**  
12 **is a duct; but it can have variable courses, and it**  
13 **can -- in this case I gave an example of how they**  
14 **can run parallel, like two lanes on a highway, but**  
15 **they are still two lanes on a highway. So the fact**  
16 **that they visually have one appearance doesn't mean**  
17 **that is the way they are. so...**  
18 Q. Right. And that's why it's incumbent upon  
19 the physician to not assume that what he thinks is  
20 the cystic duct is, in fact, the cystic duct; right?  
21 He has to carry out one of the several techniques we  
22 have for doing safe laparoscopic gallbladder surgery

35

1 to the end?  
2 **A. And that's why I'm, in these depositions,**  
3 **trying to explain some of the -- like the SAGES**  
4 **guidelines or other techniques for identifying the**  
5 **anatomy.**  
6 Q. All righty. Here it is. I hand you 14,  
7 which is, again, from the Gloria Harvey case  
8 deposition. On page 84, you said -- let's see, you  
9 see a duct going into the gallbladder, and if we  
10 presume that to be -- just because -- just because  
11 it goes into the gallbladder that it's the cystic  
12 duct, that's an incorrect assumption. Surgeons  
13 can't make that assumption.  
14 And my question, I guess, is: Isn't it  
15 true that just because a surgeon sees a gallbladder  
16 that goes into the cystic duct, they cannot assume  
17 that it is the cystic duct. I'm sorry. I reversed  
18 that.  
19 Just because a surgeon sees the cystic  
20 duct going into the gallbladder, he cannot assume  
21 that it is the cystic duct?  
22 **A. Well, no -- just to be clear, just because**

36

1 **you see a duct, you have to -- you can't presume it**  
2 **to be the cystic duct, because only the cystic duct**  
3 **goes into the gallbladder. So if you see a duct --**  
4 Q. Read the whole sentence. A duct doing  
5 what?  
6 **A. Hmm?**  
7 Q. Read that whole sentence, so you --  
8 **A. But I said here -- so you -- I said, so**  
9 **you see a duct going into the gallbladder.**  
10 Q. Yeah.  
11 **A. And if we presume that to be -- just**  
12 **because it goes in the gallbladder that it's a**  
13 **cystic duct, that that's an incorrect assumption.**  
14 **Surgeons can make that mistake. So I said you see a**  
15 **duct and you don't want to -- you presume it to be.**  
16 **So I'm not saying -- again, this is the difference**  
17 **between -- I'm trying to say in these depositions,**  
18 **the presumption that something is the cystic duct**  
19 **doesn't, in fact, make it the cystic duct.**  
20 Q. Right.  
21 **A. So it's not that there are multiple ducts**  
22 **that go into the gallbladder. It's that the -- the**

37

1 **presumption or some of the assumptions can be**  
2 **incorrect during surgery. There is a duct that goes**  
3 **in the gallbladder, and there are -- and in order --**  
4 **there is an anatomic relationship of ducts that are**  
5 **important to understand.**  
6 Q. And just because -- and just because a  
7 surgeon sees a duct going into the gallbladder, just  
8 because it goes into the gallbladder, that's -- they  
9 assume that it's the cystic duct, that's an  
10 incorrect assumption; right?  
11 A. **I'm talking about -- I say the word -- I**  
12 **say the word "presume," presumption that it is. So**  
13 **there's a difference between presumption based on**  
14 **the visual and --**  
15 Q. You're right. And, in fact, what you've  
16 testified over and over again is that really you  
17 need to see, when you're evaluating a case and  
18 testifying against a doctor on -- who you say  
19 breached the standard of care, you need to see that  
20 the doctor provides objective evidence, you have  
21 said, that the cystic duct is the cystic duct?  
22 A. **I would agree, there's a -- there is a**

38

1 **requirement to develop that anatomy and -- as part**  
2 **of a gallbladder surgery, yes.**  
3 Q. All right. So 15 is -- again, it's from  
4 the Anna Barry case in 2008. It's a deposition.  
5 And, again, I guess it just reinforces what we've  
6 just talked about, which is that -- and it's several  
7 pages -- that belief is not -- a surgeon's belief  
8 that he is seeing a duct running into the  
9 gallbladder, that that duct is the cystic duct is  
10 not enough to comply with the standard of care?  
11 A. **And -- but I do say in my answer, without**  
12 **having performed the necessary steps -- in other**  
13 **words --**  
14 Q. Uh-huh.  
15 A. **-- what I'm trying to say too is that a**  
16 **technical error during gallbladder surgery doesn't**  
17 **speak for itself as a violation of the standard of**  
18 **care if the surgeon follows the steps that lead**  
19 **that -- or the guidelines, if you want to call them,**  
20 **whatever you want to say; and despite having**  
21 **followed those guidelines, an injury occurs,**  
22 **that's -- that's a complication.**

39

1 **But -- so what I'm talking about here is**  
2 **if these -- if -- I said if you believe that**  
3 **clipping is, you know -- here they are talking about**  
4 **cholangiogram, but I said it's not a matter of**  
5 **believing. You have to follow the steps to dissect**  
6 **things out or do things. So it's -- you know, my**  
7 **answers in these -- all these depositions is that**  
8 **there are -- you have to follow certain steps, I**  
9 **guess. Yeah.**  
10 Q. Right. And doctors can't make assumptions  
11 or presume anything without following the steps that  
12 we'll get to here in a few minutes?  
13 A. **And that's -- that's what I'm trying to**  
14 **say, because these are -- you've taken pages out of**  
15 **depositions, and I'm sure in each of these**  
16 **depositions -- and I'm consistent when I've given**  
17 **these -- is that I clarify -- the one page I agree**  
18 **with what I'm saying here. I'm not trying to back**  
19 **away from it. But I know that I tried to put it in**  
20 **the context of the case at hand; that in this case**  
21 **at hand, here are some principles, here's what**  
22 **happened and here's the issue I have. So I --**

40

1 **that's --**  
2 Q. Yeah.  
3 A. **-- that's what I do in these cases.**  
4 Q. And just so you know, I'm not tussling  
5 with you. I think you've been very consistent over  
6 two decades of testimony about what a physician has  
7 to do to perform this surgery safely. I mean, I've  
8 read -- I didn't even bring them all. I've got  
9 boxes of deposition and trial testimony. I think  
10 you've been very consistent.  
11 But you're not testifying to the standard  
12 of care here, and I think it's because you believe  
13 that Dr. King violated the standard. He didn't --  
14 he didn't carry out the safe steps necessary, and I  
15 think you know that. I think you've told them that.  
16 And that's why you're being proffered as a damages  
17 witness.  
18 MR. DONNELLY: Objection to the form of  
19 the question. It's been asked and answered.  
20 MR. GLASS: It's a long question.  
21 MR. DONNELLY: And he's not formulated any  
22 opinions on standard of care.

41

1 BY MR. GLASS:  
2 Q. Okay. In -- again, this Linda Bailey case  
3 was interesting because it did go to trial. And in  
4 that case -- do you recall testifying as -- January  
5 of 2014? It was in --  
6 **A. That was -- yeah, that was last year.**  
7 **This actually went -- yeah, I recall it generally --**  
8 Q. It went to trial, all right. And, of  
9 course, if you may recall, the big issue was  
10 Dr. Bailey said, I saw the cystic duct, I convinced  
11 myself it was the cystic duct, and she injured the  
12 common bile duct. Do you remember that generally?  
13 **A. Let me just read this. I don't recall**  
14 **exactly what Dr. Bailey said in that case.**  
15 Q. I've got her deposition, I've got your  
16 trial transcript and I've got your deposition here  
17 if you want to see any of that.  
18 **A. So this gets into that issue that we were**  
19 **talking about earlier where Dr. Bailey -- this is**  
20 **what this says -- the attorney asked me a question**  
21 **that -- stating that Dr. Bailey saw with her own two**  
22 **eyes a ductile structure entering the gallbladder.**

42

1 **And I said I don't debate that's what she saw. And**  
2 **the attorney asks, but nonetheless, it's your**  
3 **position that you don't think she adequately**  
4 **identified the duct. And I said that's correct.**  
5 **And, again, I can't -- without going through -- I**  
6 **don't remember -- I remember being involved in this**  
7 **case, but I can't remember exactly what it was that**  
8 **she did that -- that I felt didn't allow her to make**  
9 **that presumption or assumption. She hadn't**  
10 **perhaps -- you know, again, I don't want to say what**  
11 **she did or didn't do. I can't remember the details.**  
12 **But it gets back to what I said earlier, that there**  
13 **are certain prerequisites or steps that have to be**  
14 **followed before you can come to that conclusion --**  
15 **reasonably come to that conclusion. So --**  
16 Q. Right. Because anatomy can vary; right?  
17 **A. Correct.**  
18 Q. I think you've said -- you've said in some  
19 of these transcripts that 60 percent is actually  
20 variant and 40 percent looks like the cool pictures  
21 in the book; right?  
22 **A. That's probably right, yeah.**

43

1 Q. And so you all, surgeons who do this  
2 surgery, you know that six times out of ten you can  
3 be presented with one of the variations of this  
4 biliary gallbladder anatomy; correct?  
5 **A. Correct.**  
6 Q. Including things like short or absent  
7 cystic ducts; right?  
8 **A. Yes.**  
9 Q. And part of the reason why the safe  
10 procedures that you've described and they are in the  
11 SAGES guidelines, and we carry those safe procedures  
12 out to their end, is to make sure that we don't hurt  
13 somebody who has a -- for example, a missing cystic  
14 duct, absent cystic duct or a short cystic duct;  
15 right?  
16 **A. Sure.**  
17 Q. Because the safe procedures will help --  
18 will identify that variation to the doctor, right,  
19 and allow him to not cut out a part of the hepatic  
20 duct; right?  
21 **A. Hopefully. That's what they are designed**  
22 **for, yes.**

44

1 Q. Yeah, I mean, that's -- that's exactly  
2 what they are designed for; right?  
3 **A. Correct.**  
4 Q. In cases where the common duct is either  
5 injured or transected, I guess there's either --  
6 there's one of two situations. One, the surgeon  
7 recognizes it in surgery or it goes unrecognized,  
8 right, in the immediate -- unrecognized at surgery?  
9 **A. Yeah. I mean, in cases -- generally**  
10 **speaking, yes. I mean, some injuries are recognized**  
11 **at the time and others don't become apparent until**  
12 **later.**  
13 Q. And you've looked at a lot of cases where  
14 you've testified there was a breach of the standard  
15 of care, there was an injury to the common bile duct  
16 or the common hepatic duct that went unrecognized at  
17 surgery, and you've always found that the doctors  
18 are surprised to find later that they caused that  
19 injury; correct?  
20 MR. DONNELLY: Object to the form.  
21 **A. Well, I think that kind of -- in general,**  
22 **I think that's probably true. I don't think -- if a**

45

1 **surgeon doesn't recognize the injury, they don't --**  
2 **they don't recognize the injury. So when it becomes**  
3 **apparent later, you know, they probably wouldn't**  
4 **have closed the patient had they suspected or**  
5 **recognized injury, so...**  
6 Q. Yeah. That makes sense, but somehow it  
7 became a big point in this Vernon Clay versus  
8 Dr. Bailey deposition, and it was brought up. And  
9 you said just that; that you've never reviewed a  
10 case where there was an intentional injury, of  
11 course, and in each case the surgeon feels as though  
12 they had properly identified the anatomy before they  
13 proceeded; right?  
14 A. **Correct.**  
15 Q. And so that just gets us back to your big  
16 point, that a surgeon's belief that he's done the  
17 surgery correctly, that he identified the cystic  
18 duct, is never enough to comply with the standard of  
19 care?  
20 A. **Well, in a vacuum, by itself --**  
21 Q. Uh-huh.  
22 A. **-- there -- you know, the foundation for**

46

1 **that belief has to -- there has to be a foundation,**  
2 **there has to be a series of things, so --**  
3 Q. And you as --  
4 A. **-- you eventually have to come that. I**  
5 **mean, you have to make a decision in surgery.**  
6 Q. Sure.  
7 A. **But what's -- so the question is what's --**  
8 **what's your foundation for that decision --**  
9 Q. Yeah.  
10 A. **-- and belief.**  
11 Q. And you, as an evaluator of cases and as  
12 someone who's testified on a number of times against  
13 physicians, you look at the operative report and you  
14 look -- is it described how any of the safe  
15 techniques were carried out; right? And then you  
16 look at the surgeon's deposition?  
17 A. **Correct.**  
18 Q. And would you agree with me that,  
19 oftentimes, you don't find it well described in the  
20 operative report, but you see something one way or  
21 the other in the deposition? Is that your  
22 experience?

47

1 A. **It's -- that often happens where, you**  
2 **know, the operative report might be brief and**  
3 **there's an elaboration in the deposition, sure.**  
4 Q. All right. Exhibit 18, again, is from the  
5 Anna Barry case. Again, it's just another episode  
6 of you describing that most surgeons -- most of the  
7 time the surgeon will not be aware that he has  
8 caused a bile duct injury. And I think you've  
9 already said you agree with that. And that just  
10 makes -- that makes sense.  
11 In terms of pathology reports, and looking  
12 at what a pathologist describes as cystic duct or  
13 hepatic duct, common bile duct, your experience is  
14 that in looking at hundreds of these cases that  
15 pathologists don't go to the depth of saying, oh, I  
16 saw a hepatic duct, a bile duct and a cystic duct;  
17 correct?  
18 A. **Correct.**  
19 Q. All right. And that's -- just so we have  
20 it, that's what's in Exhibit 19, if you look at page  
21 37 there.  
22 If you recall, because a defense -- a

48

1 lawyer representing a doctor was saying, well, gee,  
2 Dr. Schirmer, how can you say this wasn't the -- how  
3 can you say that this was a part of the hepatic duct  
4 when the pathologist doesn't even describe it as  
5 such, and you said basically pathologists don't do  
6 that --  
7 A. **Yes.**  
8 Q. -- correct?  
9 Okay. And Exhibit No. 24 is very similar  
10 testimony --  
11 A. **Here's 20. You handed me 19 and 20, so**  
12 **here's 20.**  
13 Q. And you know what? I'm screwing up here,  
14 so let me do this...  
15 All right. And Exhibit 20 then is from  
16 the Jennifer Sullivan case, again, page 35 and 36  
17 where you said, and I take it you still agree, that  
18 the pathology report never identifies the common  
19 bile duct.  
20 A. **I just said I have never seen a pathology**  
21 **report that commented -- even when retrospectively**  
22 **we know --**

49

1 Q. Right.

2 A. -- what happened, they have never -- you

3 know, looking back at what they described, they have

4 never described that.

5 Q. Now, this is an old deposition. It's from

6 over ten years ago. Is that still the case today?

7 I mean, when you review these cases, these

8 gallbladder removal cases, do you see pathologists

9 making that differentiation?

10 A. No. I've never seen that.

11 Q. All right. Now, when we talk about -- in

12 medicine and in surgery, when we talk about

13 something being a complication of surgery, you would

14 agree with me that that doesn't tell us anything

15 about whether or not if a patient suffers that

16 complication it's because of negligence or not;

17 right?

18 A. Right. In other words, I don't think they

19 all speak for themselves.

20 Q. Well, I wasn't asking that. I'm asking,

21 oftentimes, as you know, somebody will say, well,

22 Dr. Schirmer, tell me what -- tell me what you tell

50

1 your patients when they are undergoing this surgery,

2 and you tell them the list. You could die, you

3 could bleed, you could get an infection, you could

4 get a bile duct injury, you could drain bile. You

5 go through that list; and you have made the point

6 over and over again that just because we say

7 something is a known risk or a known complication

8 does not mean that because it happens, it happens

9 without negligence?

10 A. I -- I think I understand what you're

11 saying, and I think -- correct me if I'm wrong --

12 you're saying that because we know something is a

13 complication doesn't address whether that

14 complication was the result of the normal course of

15 events or whether it was a result of negligence.

16 The fact that it's a known event doesn't make --

17 Q. Right, right. So it's just like, you

18 know, running -- running through a green light, a

19 complication of that or a known risk of that could

20 be getting broadsided, but that doesn't tell you

21 anything about whose fault the accident is; right?

22 MR. DONNELLY: Object to the form.

51

1 MR. GLASS: I'm winging it --

2 THE WITNESS: A green light? That's okay.

3 MR. DONNELLY: That's my issue too.

4 BY MR. GLASS:

5 Q. So Exhibit 21, for the record -- well,

6 right, no. You can go -- you drive your car through

7 a green light. A risk of driving your car through a

8 green light is you get broadsided by someone who's

9 careless; right?

10 A. Yeah, I suppose that true.

11 Q. Okay. 21 is, again, from the trial

12 testimony in the Linda Bailey case, just where

13 you're describing at page 39 and 40, this point --

14 you're making this point. Complication is an

15 unintended bad outcome so it's a complication, but

16 that doesn't -- you said saying something is a risk

17 or a complication or a recognized complication says

18 nothing about whether the complication was a result

19 of acceptable practice or unacceptable practice.

20 And you still agree with that point --

21 A. Correct.

22 Q. -- right?

52

1 And Exhibit 22, which is from the Lumpkin

2 case, at page 175 and 176, you -- my question is:

3 You make that point in that case?

4 A. Correct.

5 Q. And in Exhibit No. 23, on page 35 in a

6 case called Carmen Jordan-Pereira, P E R E I R A,

7 you make that point again on page 35.

8 A. Correct.

9 Q. You would agree, as a matter of anatomy,

10 that the common duct and the cystic duct look

11 identical?

12 A. They can look identical. They -- they can

13 have a very similar appearance.

14 Q. Sure. So that's -- Exhibit No. 24 was, I

15 think, deposition testimony in the Cotner,

16 C O T N E R, versus Dr. Brightwell case, and it's on

17 page 41, if you look at the paragraph that starts at

18 line 5 down to line 10 where you said you can't tell

19 the difference. They look identical. It's as

20 simple as it is.

21 A. Correct.

22 Q. Do you still agree that a bile duct

53

1 transection occurs in one out of every 1,000 to  
2 2,000 operations, which is testimony you gave in the  
3 Huffman deposition? H U F F M A N, that's  
4 Exhibit 25. Is that -- is that statistic correct?  
5 **A. I think that's fair, that it's in about**  
6 **that range.**  
7 Q. I know as of the latest deposition I could  
8 find of you that you are still batting 1,000 and  
9 have had no bile duct transections in your operative  
10 course. Is that still true as we sit here today?  
11 **A. Correct.**  
12 Q. Do you still agree that most injuries to  
13 the common bile duct in this surgery are avoidable?  
14 And that's in Exhibit 26 at page 28, which is the  
15 Anna Barry case.  
16 **A. Well, I agree that I think the statistics**  
17 **speak to that, that most of the time you do**  
18 **gallbladder surgery, there won't be such a**  
19 **complication. So -- and -- and I say most -- or**  
20 **most injuries are avoidable. The way we avoid them**  
21 **is by understanding the conduct of the operation.**  
22 **So, yeah, I agree with that.**

54

1 Q. Okay. And do you still agree that most  
2 common bile duct injuries are avoidable by adhering  
3 to the general guides and principles? That's from  
4 Exhibit 27, the Lumpkin case, at page 186 and 187.  
5 MR. DONNELLY: And I'll just take this  
6 time to, once again, object on the record --  
7 MR. GLASS: Sure.  
8 MR. DONNELLY: -- to have a continuing  
9 objection that all of this is way beyond the scope  
10 of his designation. I'm going to allow you to go  
11 ahead and go through your exhibits since you --  
12 MR. GLASS: I worked so hard on it.  
13 MR. DONNELLY: Exactly, since you worked  
14 so hard.  
15 MR. GLASS: And I do think, though, that  
16 it goes to his experience and his credibility as a  
17 witness and all those things. But I understand your  
18 objection.  
19 MR. DONNELLY: All right. And it also  
20 would completely eviscerate the John Crane decision  
21 if we're going to designate witnesses on one subject  
22 matter and then be --

55

1 MR. GLASS: Well, let's not argue.  
2 MR. DONNELLY: -- examine them on the  
3 other.  
4 MR. GLASS: We all want to get out of here  
5 at some point.  
6 BY MR. GLASS:  
7 Q. I think that my question was on this  
8 exhibit, you still agree that most common bile duct  
9 injuries are avoidable by adhering to the general  
10 guides and principles of safe gallbladder surgery;  
11 right?  
12 **A. Yes.**  
13 Q. Now, in this case, do you agree that the  
14 common hepatic duct was removed in Dr. King's  
15 surgery?  
16 **A. I believe that what was found in the**  
17 **subsequent operations, that would be correct, yes.**  
18 Q. All right. And it's true, as a matter of  
19 physics, I think, that in order to get to the  
20 gallbladder, the cystic duct and to remove a portion  
21 of the common -- to remove the common hepatic duct  
22 that it means that duct -- that the common hepatic

56

1 duct has to have been cut twice?  
2 **A. Correct. Yes.**  
3 Q. All right. So in this case there's a cut  
4 of the artery, the cystic artery; correct?  
5 **A. There were clips on -- I believe it may**  
6 **have been a branch of the right hepatic artery**  
7 **that --**  
8 Q. I'm not asking about clips. I'm asking  
9 about getting the gallbladder out.  
10 **A. Oh, yeah.**  
11 Q. He had to have cut the cystic artery, one  
12 cut; right?  
13 **A. There is a -- you have to divide the**  
14 **artery to get the artery of the gallbladder --**  
15 Q. Right. Divide means cut in layman's  
16 terms?  
17 **A. Sure.**  
18 Q. And then there are two cuts -- two more  
19 cuts in this case to the hepatic duct, the common  
20 hepatic duct?  
21 **A. There -- well, yeah, the common bile duct.**  
22 **The branches coming out of the liver were divided**

57

1 **and there appears to be a division down at the**  
2 **common bile duct level.**  
3 Q. Right. So three cuts total in this case?  
4 **A. The duct -- that's, I think, the anatomy**  
5 **from what I can gather, yes.**  
6 Q. I agree with you. I heard differently a  
7 week ago in Roanoake. So -- but I think that you're  
8 right on that. And just for the record then,  
9 Exhibit No. 28 is your testimony in the Cotner case  
10 on that subject. When a piece of the common bile  
11 duct is missing, it means it was cut twice. I think  
12 you just --  
13 **A. Right, yes.**  
14 Q. -- affirmed that.  
15 All right. One of the safe techniques is  
16 identifying the triangle of Calot. And I don't need  
17 you to tell me what it is unless you want to,  
18 because I've read it. But do you still agree that  
19 in every gallbladder operation, we dissect the  
20 triangle of Calot? And I'll refer to you Exhibit  
21 No. 29 at page 40, which is from the Cotner,  
22 C O T N E R, case.

58

1 **A. And I clarified here. I'll make**  
2 **sure it's -- because that's what I've said, is that**  
3 **we don't fully dissect it out, but we dissect**  
4 **sufficiently to identify the structures. Because I**  
5 **said when it's not possible to fully dissect it out,**  
6 **that's where a cholangiogram, so --**  
7 Q. Right. But to be really, really clear,  
8 you said in this case, page 40, line 9, in every  
9 gallbladder -- every gallbladder operation we  
10 dissect the triangle of Calot. That's a principle  
11 of safe gallbladder surgery is that it's clearly  
12 dissected. You then get into a discussion about  
13 whether it's fraught with risk. And then line 18,  
14 the purpose of doing a full dissection is an effort  
15 to give us a visual confirmation of our anatomy.  
16 You also talked about a cholangiogram. But this was  
17 testimony that you --  
18 **A. Correct. I just want to make sure that**  
19 **that fully -- I want to make sure it's understood.**  
20 **Fully means each of the structures are fully**  
21 **appreciated. And there's a difference between --**  
22 **and I've said in other depositions --**

59

1 Q. You have.  
2 **A. -- it doesn't mean going corner to corner**  
3 **to corner of the triangle, those words "fully," you**  
4 **know -- as opposed to only identifying two of the**  
5 **three structures; so, you know, a triangle is three.**  
6 **And so when I say "fully," you -- what I'm referring**  
7 **to there and trying to clarify is identifying the**  
8 **three arms as opposed to just one or two or -- you**  
9 **know, that's what I mean by fully.**  
10 Q. Right. And --  
11 **A. As opposed to, again, the triangle -- you**  
12 **know, the corner to corner to corner so that's --**  
13 Q. And, again, I'll tell you, I think you've  
14 been fully consistent all of these years in that --  
15 in that a surgeon doing this surgery, in order to do  
16 it safely, you must identify the cystic duct, the  
17 cystic duct artery and the common hepatic duct;  
18 right?  
19 **A. Correct.**  
20 Q. And you must identify the common hepatic  
21 duct by visualizing it or proving it on  
22 cholangiogram; correct?

60

1 **A. To be a separate structure, yes, I agree.**  
2 Q. To be a what?  
3 **A. To be a separate -- a separate ductile**  
4 **structure from the cystic duct.**  
5 Q. Exactly. And seeing it on a cholangiogram  
6 means it's lit up on the cholangiogram; right?  
7 **A. Correct.**  
8 Q. And exhibit 30 is from the Gloria Harvey  
9 case. Again, at 71 and 72, you make that point, you  
10 must account for the structures of the triangle of  
11 Calot, account for them. You must visually identify  
12 them through dissection.  
13 I understand your testimony about not  
14 dissecting to the edges of the triangle. I get  
15 that. But you agree -- you still agree that you  
16 must account for the three structures and visually  
17 identify them through dissection. And I guess you  
18 would add or cholangiogram if you -- if it's  
19 impossible to identify it through dissection; right?  
20 **A. Right. And I should -- I want to -- we**  
21 **talked earlier about the other -- the other**  
22 **techniques, the -- that get to the same point of the**



61

1 critical view of safety, which is what's talked  
2 about in the SAGES guidelines where you basically  
3 elevate and suspend the gallbladder by two and only  
4 two ductile structures, and that's also a good and  
5 reasonable way of doing it. So --  
6 Q. Right.  
7 A. -- of doing that, meaning safely -- safely  
8 identifying two and only two structures and then  
9 reasonably presuming those to be the cystic duct and  
10 the cystic artery. So...  
11 Q. Right. Do you recollect that that's  
12 exactly what Dr. Linda Bailey testified she did in  
13 the case where you testified against her at trial?  
14 And I've got her deposition, you know.  
15 A. Yeah.  
16 Q. I don't want to -- I don't want to be  
17 unfair with you, but she described that exactly in  
18 that case. And you said she was wrong.  
19 A. Because she didn't -- she said she did,  
20 but when -- in her deposition, if I recall  
21 correctly, and even if she didn't -- she said she  
22 did it, but she didn't because she couldn't even

62

1 describe that in her own deposition when given a  
2 chance to say what is that technique. And, I mean,  
3 I remember in general that that's not what -- you  
4 know, despite saying I did that, she didn't do that.  
5 It was evident.  
6 Q. What do you recall that she failed to do  
7 even though she said she did that?  
8 A. I --  
9 MR. DONNELLY: I'm just going to object to  
10 the form --  
11 A. Again, I don't -- I want to be careful,  
12 and, again, I'm -- this is over a year and a half  
13 ago, but I don't know that she separated the  
14 gallbladder from the liver bed, which is a necessary  
15 part of that technique. It's not enough to say I  
16 saw a couple of structures that appeared to go to  
17 the gallbladder, and I think that's what she -- and  
18 she described that as a critical view. And I think  
19 some people do use -- you know, they -- if you -- if  
20 they don't -- they say, I had a critical view. I  
21 had -- I think she said, I had a critical view, but  
22 the "critical view of safety" is -- in quotes is a

63

1 label given to a technique that has multiple steps,  
2 and she didn't follow all those steps. She -- I  
3 think she just said, I had developed a critical  
4 view, and it was a matter of maybe definitions  
5 and -- because she didn't do as described in the  
6 SAGES guidelines, by the author of those, that  
7 technique.  
8 Q. Exactly. And that's what you look for  
9 when you're evaluating these cases on a standard of  
10 care basis, again, looking at the operative report,  
11 looking at the physician's deposition. Even if they  
12 said they did, I looked at the triangle of Calot,  
13 you look to see, did you really. They say, I got a  
14 critical view. You look to see, do you actually  
15 even understand what critical view is, and did you  
16 do it; right? And if they didn't, then you've  
17 testified at trial and deposition that they violated  
18 the standard of care; right?  
19 A. Correct.  
20 Q. Okay. In fact, do you still agree that  
21 the standard of care requires a surgeon to identify  
22 the triangle of Calot? That's Exhibit 31 at --

64

1 at -- excuse me, Exhibit 31 at -- looks like at  
2 page 31.  
3 MR. DONNELLY: Same objection.  
4 A. By itself, this is confusing, you know,  
5 without the pages that lead up to it. So --  
6 Q. I can show them to you. I'll tell you  
7 that what happened in that case was the defendant --  
8 the defendant made some statements in his deposition  
9 where he said, no, the standard of care does not  
10 require you to identify the triangle of Calot. And  
11 you were reading that and you said that's -- I  
12 disagree with that. I think the standard of care  
13 does require that.  
14 In any event, as I understand --  
15 A. That one makes more sense because it's in  
16 quotes and I was thinking --  
17 Q. Yeah, that wasn't you. You would never  
18 say that; right?  
19 A. Yeah, that makes more sense.  
20 Q. Yeah, okay.  
21 And you've testified -- again, this is  
22 from the Jordan-Pereira case -- it's a deviation

65

1 from the standard of care of the requisite steps  
2 toward identifying the anatomy are not done. It's  
3 at page 53.  
4 MR. DONNELLY: Same objection.  
5 MR. GLASS: Yeah, okay.  
6 **A. Okay.**  
7 Q. Let's see. I'm going to just skip, just  
8 for the record, so that we don't get confused later,  
9 Exhibits 33, 34 and 35, just because they are more  
10 of the same on the triangle of Calot, and I'm going  
11 to honor your time.  
12 If you want, I'm happy to give them to  
13 you.  
14 MR. DONNELLY: No. You can keep them.  
15 Thank you for the offer.  
16 MR. GLASS: Yeah.  
17 BY MR. GLASS:  
18 Q. In Exhibit No. 36, which was, again,  
19 testimony in the Gloria Harvey case, at page 74 --  
20 this is where I got that language about a  
21 transection speaking for itself. So let's look at  
22 that, page 74. You're talking about the common

66

1 hepatic duct, and you say, he wouldn't have cut the  
2 wrong structure had he done that, which is, he  
3 positively identified the structure; and so in some  
4 ways, it speaks for itself that he didn't identify  
5 it.  
6 Do you remember that?  
7 **A. Well, yeah. In this context I'm answering**  
8 **a specific --**  
9 Q. Uh-huh.  
10 **A. -- I think I'm trying to, again, respond**  
11 **to what maybe what was said by someone else, either**  
12 **in their deposition, and I -- and I said in this**  
13 **case -- let me see my previous answer is -- and I'm**  
14 **saying "Someone else said I positively identified**  
15 **that structure," and I said that would be fine. But**  
16 **I'm not sure he did, because had he, then he**  
17 **wouldn't have cut the wrong structure. So in this**  
18 **case, it speaks for itself, that what he -- what he**  
19 **positively identified, he didn't, which is -- again,**  
20 **in this case, I'm trying to explain an answer or,**  
21 **you know --**  
22 Q. Right.

67

1 **A. -- answer another question. Yes, I agree**  
2 **with what I say here.**  
3 Q. If we look up on 73 what you all you were  
4 talking about was the junction of the cystic duct  
5 and the common duct. And you said, had he actually  
6 seen that junction -- this is now on page 74, line  
7 13 -- he wouldn't have cut across the common bile  
8 duct as he did.  
9 **A. Correct.**  
10 Q. So is it your view then that if a surgeon  
11 does cut across the common bile duct, it means --  
12 that necessarily means that he did not visualize,  
13 either with his eyes or with the cholangiogram, the  
14 common bile duct?  
15 MR. DONNELLY: Object to the relevance.  
16 **A. I don't think you would -- a surgeon would**  
17 **intentionally transect the common hepatic duct.**  
18 **I -- but what you said right at the beginning of**  
19 **this is that it speaks for itself, that such**  
20 **injuries are the result of negligence. And I don't**  
21 **agree with that. There are times when, by virtue of**  
22 **the degree of inflammation of the gallbladder to**

68

1 **adjacent structures, that in the course of**  
2 **developing anatomy or even removing the gallbladder**  
3 **after having properly identified ductile structures,**  
4 **one can still injure and even transect the common**  
5 **bile duct without deviating from any standard of**  
6 **care as part of a difficult surgery.**  
7 **So that's why I've always said they don't**  
8 **speak for themselves. And I've defended cases where**  
9 **I believe that to be the case, where the anatomy was**  
10 **correctly identified, but in the course of removing**  
11 **the gallbladder, complications, including ductile**  
12 **transections, have occurred, because it wasn't a**  
13 **anatomic misidentification that got the surgeon and**  
14 **the patient in trouble, it was the severity of the**  
15 **inflammation.**  
16 Q. Uh-huh.  
17 **A. So you can have ductile transections by**  
18 **not -- by improper anatomic identification and also**  
19 **ductile transections and injuries by virtue of**  
20 **challenging dissections.**  
21 Q. In the severe inflammation cases that you  
22 just referred to, have you then seen not just

69

1 ductile transections, but removal of a portion of  
2 the common bile duct which you've already said  
3 necessitates two cuts?  
4 **A. I have seen complex injuries, I guess, you**  
5 **know. We refer to them often as tangential injuries**  
6 **where the gallbladder might be stuck to that -- that**  
7 **area, the area that is the common hepatic duct**  
8 **courses. And in coming around the gallbladder, the**  
9 **complex ductile injury occurs. It's different than,**  
10 **you know, the two clips or the two points of**  
11 **division. But I've seen high hilar common hepatic**  
12 **ductile injuries that I think develop as the result**  
13 **of inflammation and adherence of the gallbladder to**  
14 **that neighborhood.**  
15 Q. What's the sort of description in an  
16 operative note that would describe that level of  
17 inflammation?  
18 MR. DONNELLY: Same objection.  
19 **A. Such -- those injuries often occur in the**  
20 **presence of acute cholecystitis or chronic**  
21 **cholecystitis with thickening of the tissues and**  
22 **dense scar tissue, either one of those.**

70

1 Q. Okay. So just for the record, I'm going  
2 to take out 37, 38 and 39, because I think we've  
3 made those points.  
4 Do you still agree it's not good judgment  
5 for a physician to say, I never did see or account  
6 for the common hepatic duct? And the reference is  
7 in Exhibit 40 at page 77, which is from the  
8 Jordan-Pereira case.  
9 MR. DONNELLY: Same objections.  
10 Relevance.  
11 **A. I would agree with that.**  
12 Q. All right. You have -- would you agree  
13 that you have been critical of physicians over and  
14 over who have not adequately identified the common  
15 hepatic duct in surgery?  
16 MR. DONNELLY: I'll object to the form.  
17 **A. I -- you know, there have been several**  
18 **cases where I've been critical of that, yes.**  
19 Q. Several. More than several; right? I  
20 mean, that's been with one of the principal --  
21 hasn't this been one of the principal criticisms in  
22 cases, Dr. Schirmer, where you have testified at

71

1 trial or deposition under oath of a physician who  
2 gets a major biliary injury in a gallbladder case  
3 that they did not describe identifying the common  
4 hepatic duct?  
5 **A. Or make -- through dissection of their**  
6 **efforts follow the steps that would hope to safely**  
7 **avoid the ductile injury.**  
8 Q. Right.  
9 And my question is: Hasn't that been a  
10 common theme of testimony you have given in cases  
11 like this, gallbladder removal cases?  
12 MR. DONNELLY: Same objection. Relevance,  
13 beyond the scope.  
14 **A. Yes. It's, to me, just echoing those --**  
15 **that belief, yes.**  
16 Q. Okay. In this case, do you agree, based  
17 upon your review of the operative note and  
18 Dr. King's deposition, that he did not visually  
19 identify the common hepatic duct?  
20 MR. DONNELLY: Object to the form. Beyond  
21 the scope of his designation.  
22 **A. I -- I will -- whatever he said, he said.**

72

1 **I'm not going to try to -- whatever he said speaks**  
2 **for itself.**  
3 Q. Okay. So you'll agree that whatever he  
4 said in his operative note and his deposition speaks  
5 for itself; right?  
6 **A. Yeah. And I am not going to argue with**  
7 **what he described and what he said in his note and**  
8 **deposition.**  
9 Q. Do you -- well, let's go back to my  
10 question. Do you agree that he doesn't describe  
11 anywhere? In fact, he says the opposite, that he  
12 didn't visualize the common hepatic duct in the  
13 surgery? Do you remember that from reading his  
14 deposition?  
15 MR. DONNELLY: Same objection.  
16 **A. Again, I don't remember that, but I don't**  
17 **debate that he said it.**  
18 Q. Okay.  
19 **A. If he said it, obviously, he can defend**  
20 **that aspect of his care.**  
21 Q. Fair enough.  
22 Just because a surgeon has done thousands

73

1 of these doesn't necessarily mean they can't be  
2 careless in one of these surgeries; right?  
3 MR. DONNELLY: Same objection.  
4 **A. I would agree with that.**  
5 Q. Dr. Bailey, who you testified against in  
6 January of 2014, had done almost 4,000 of these  
7 laparoscopic gallbladder removal cases without  
8 incident --  
9 MR. DONNELLY: Same objection.  
10 BY MR. GLASS:  
11 Q. -- until this -- until the one you've  
12 testified in. Do you remember that?  
13 **A. I -- again, I don't remember the records**  
14 **in that detail; but if that's what they say,**  
15 **that's -- I won't disagree.**  
16 Q. Okay. I think we made this -- you still  
17 agree that a short -- if a patient has a short  
18 cystic duct, that really doesn't -- that's not --  
19 that's not an excuse for taking out part of that  
20 common -- taking out part of the common hepatic  
21 duct; right?  
22 MR. DONNELLY: Same objection.

74

1 **A. In general, yes, I agree with that.**  
2 Q. And the same thing, if it's not short, but  
3 it's absent, if it's -- what you all call -- do you  
4 use the term "absent"?  
5 **A. Absent or -- yeah.**  
6 Q. Right. That that's something that a  
7 physician should know can happen, and that these  
8 techniques we've been talking about help that  
9 physician identify that; correct?  
10 **A. Correct.**  
11 Q. And sometimes you doctors use the phrase  
12 or the term "aberrant anatomy"?  
13 **A. Yes.**  
14 Q. What does aberrant anatomy mean to you?  
15 **A. It's -- aberrant is, I think, something**  
16 **other than the norm, other than the normal. So, you**  
17 **know, if it's -- either something is a normal**  
18 **configuration or it's outside the norm.**  
19 Q. Would you agree that aberrant anatomy does  
20 not mean abnormal anatomy, period? It's a variation  
21 of normal?  
22 **A. Yes. I mean, I guess -- trying to talk**

75

1 **about definitions. But aberrant, meaning other than**  
2 **the normal configuration, I think that's that 40/60**  
3 **thing we talked about earlier.**  
4 Q. Yeah. It's interesting, because 60  
5 percent can be "different from normal"?  
6 **A. Well, here's -- yeah, there's the most**  
7 **common and then there's variations on that, so...**  
8 Q. Gotcha.  
9 Do you still agree that a cholangiogram is  
10 extremely helpful and rarely misleading in these  
11 surgeries?  
12 MR. DONNELLY: Same objection.  
13 **A. Yes, I agree with that.**  
14 Q. Do you agree that -- do you still agree  
15 that a cholangiogram is required under the standard  
16 of care when the physician suspects there may be a  
17 stone, a cystic duct stone?  
18 MR. DONNELLY: Same objection.  
19 **A. It's -- I don't know. Maybe I stopped**  
20 **short of saying it's a requirement. I think if --**  
21 **there's more than one way to assess that, but**  
22 **cholangiography is -- is one of the ways to assess**

76

1 **that.**  
2 Q. Do you still agree that a -- the  
3 performance of an intraoperative cholangiography is  
4 reserved for two general situations? One is when  
5 there's suspicion of bile duct stones and the other  
6 is when you have difficult anatomy?  
7 **A. Yes. I'd probably elaborate on that and**  
8 **say --**  
9 Q. Uh-huh.  
10 **A. -- when you have a suspicion of bile duct**  
11 **pathology, stones or strictures or tumors or other**  
12 **things -- in other words, that's a -- cholangiogram**  
13 **is a useful tool for assessing the anatomy of the**  
14 **bile duct if you have concerns. That's one. And**  
15 **then the other category is if, in the course of an**  
16 **operation, you can use it to help clarify anatomy.**  
17 Q. Right. But a cholangiogram is -- is  
18 required in the standard of care when you have  
19 elevated liver function tests, you suspect there may  
20 be a stone in the duct; right?  
21 **A. It's -- again, I stop short of saying it's**  
22 **a requirement, because there are other ways of**

77

1 assessing that potential preoperatively other ways.  
2 Q. Sure.  
3 A. **But it's a -- if -- and there are other --**  
4 **so I wouldn't say in every case of a gallbladder**  
5 **surgery, every time you operate on someone's**  
6 **gallbladder who has abnormal liver function tests,**  
7 **there's a standard of care requirement to do a**  
8 **cholangiogram. I wouldn't want to say that. But --**  
9 Q. Because there's other things you can do?  
10 A. **Right, and there are other potential**  
11 **explanations -- plausible explanations for the liver**  
12 **function abnormalities that may already be known.**  
13 **But if -- you know, it's a very useful tool for**  
14 **identifying what we call filling defects in the**  
15 **ducts. Among those are stones. They are not always**  
16 **stones. There are other --**  
17 Q. You said stones?  
18 A. **Stones, tumors.**  
19 Q. Tumor.  
20 A. **Strictures. And then there's a variety of**  
21 **bile duct pathologic conditions that will present as**  
22 **abnormal with elevated liver function test and**

78

1 **abnormal cholangiograms, so...**  
2 Q. Okay. But stones, tumors and strictures  
3 can occur anywhere along that path from the -- from  
4 the liver down in the biliary tract and from the  
5 gallbladder over in the biliary tract; right?  
6 A. **Well, the -- yes. I mean, the**  
7 **cholangiogram is designed to look at the bile duct**  
8 **from the duodenum into the liver, so that -- it's**  
9 **not looking at the gallbladder.**  
10 Q. Correct. Right. But it is -- it is -- a  
11 cholangiogram is designed to look at that biliary  
12 tract or path from the liver to the duodenum,  
13 that -- my words -- "highway"; right?  
14 A. **Correct.**  
15 Q. And these stones, strictures and tumors  
16 can occur anywhere along that highway from the liver  
17 to the duodenum; right?  
18 A. **Correct.**  
19 Q. And can cause elevated liver function  
20 results; right?  
21 A. **Correct.**  
22 Q. And one of the -- I think what you just

79

1 said is one of the tools a physician has then to  
2 investigate elevated liver function results is  
3 cholangiogram?  
4 A. **Correct.**  
5 Q. Which is an x-ray. And we all know it's  
6 an x-ray of the biliary tree?  
7 A. **Correct, with contrast. Yeah.**  
8 Q. And do you still agree that if you're  
9 doing a cholangiogram to look for stones that, as a  
10 matter of anatomy and science, you can still learn a  
11 lot about the anatomy because the cholangiogram is  
12 the same cholangiogram you would do if you had  
13 difficult anatomy in the surgery; right?  
14 A. **Correct.**  
15 Q. It's the same technique?  
16 A. **Yes.**  
17 Q. I think we talked about this earlier, but  
18 a normal cholangiogram is one that lights up the  
19 entire biliary tree? And that's language you've  
20 used in the past.  
21 A. **That is part of it, yes.**  
22 Q. Right. So you see the right and left

80

1 hepatic ducts, the common hepatic duct, the bile  
2 duct or the common bile duct, I guess you call it,  
3 down to the duodenum; right?  
4 A. **And -- and flow into the duodenum.**  
5 Q. Right.  
6 A. **And absence of filling defects, including**  
7 **stones, stricture or tumors.**  
8 Q. So a cholangiogram that doesn't light up  
9 the entire biliary tree is an abnormal cholangiogram  
10 as a matter of science; right?  
11 MR. DONNELLY: Object to the form.  
12 A. **It doesn't -- well, it could either be**  
13 **abnormal because there's abnormal pathology or**  
14 **incomplete because it wasn't -- it didn't completely**  
15 **fill the ductile system.**  
16 Q. Right. And if in doing these surgeries,  
17 if it doesn't completely fill, there could be a  
18 number of reasons for that; right?  
19 A. **Correct.**  
20 Q. And it's incumbent upon the physician to  
21 make sure it's not because he's about ready to  
22 transect the common hepatic duct; right?

81

1 **A. That's among those, yes.**  
2 Q. Yeah. And that would be like the most  
3 important reason to prove to yourself -- if you  
4 don't have a normal cholangiogram, to prove to  
5 yourself that it's -- that you can make it normal;  
6 right? Does that question make sense?  
7 Let's -- let me ask it a different way.  
8 The worst thing that can happen if you operate in  
9 the face of an abnormal cholangiogram is you're  
10 going to injure the common bile duct/common hepatic  
11 duct; right?  
12 **A. Well, there's worse things that can**  
13 **happen. But I guess the --**  
14 Q. From that, though, really --  
15 **A. Well, no, I mean -- I'm just speaking in**  
16 **broad terms --**  
17 Q. Right.  
18 **A. -- that -- and not all abnormal**  
19 **cholangiograms are because of anatomic**  
20 **abnormalities. We talked about normal means, you**  
21 **know, the complete visualization, the normal flow**  
22 **into the duodenum, which is part of it, and the**

82

1 **absence of filling defects. And so there's all**  
2 **kinds of things that are abnormal that you want**  
3 **to -- that the purpose of cholangiogram is to**  
4 **identify those abnormalities and then hopefully**  
5 **perceive and, you know, recognize the abnormalities.**  
6 Q. Right. And if you're doing this surgery  
7 and you get a cholangiogram that's not lighting up  
8 the entire tree, you've got to figure out why;  
9 right?  
10 **A. Right. Well, you just said if it's**  
11 **abnormal. But there's a lot of reasons. I just --**  
12 **you know, there's a lot of reasons it could be**  
13 **abnormal.**  
14 Q. I think we're on the same page. Again,  
15 Dr. Schirmer, I think you've been entirely  
16 consistent for 20 years on this -- on this -- these  
17 topics.  
18 When a surgeon does a cholangiogram  
19 because of elevated liver function results, it's  
20 incumbent upon the surgeon to look at the entire  
21 cholangiogram; right?  
22 MR. DONNELLY: Same objection.

83

1 **A. I would -- I agree with that in general,**  
2 **yes.**  
3 Q. Yeah. And I'll just -- for the record,  
4 we'll -- I'll give you Exhibit No. 73, which was a  
5 certificate of merit, I think, in a case called the  
6 Huffman case. And I'll give it to you -- which, I  
7 mean, you said exactly that. It was a case in -- I  
8 guess it's in Ohio, Butler County.  
9 You make that point exactly, that if you  
10 get a cholangiogram for elevated liver functions,  
11 you've got to look at the whole thing. It's  
12 incumbent upon the surgeon to do that because a  
13 cholangiogram is a great tool for a safe gallbladder  
14 surgery; right?  
15 **A. Correct.**  
16 Q. Okay. Okay. Let's talk about this --  
17 this case, what maybe you're here for.  
18 Here's the cholangiogram from this case.  
19 And, first of all, would you agree with me that it's  
20 an abnormal cholangiogram?  
21 MR. DONNELLY: Object to the form of the  
22 question.

84

1 MR. GLASS: Can you get that cholangiogram  
2 on the --  
3 MR. DONNELLY: Same objection.  
4 MR. GLASS: Sure.  
5 **A. It's -- I'd call it abnormal in that it's**  
6 **certainly incomplete in terms of identifying the**  
7 **entire biliary tree.**  
8 Q. Right. And so, actually, I've got a  
9 marker. If you could just mark a couple things for  
10 us.  
11 So do you see the cystic duct?  
12 **A. No.**  
13 Q. All right. So on this cholangiogram, the  
14 cystic duct is not seen separate and apart from the  
15 common bile duct/hepatic duct; right?  
16 **A. No, I don't see the cystic duct.**  
17 Q. Okay. And, of course -- I think of  
18 course, because I've been in this case a long time,  
19 but the hepatic -- the common hepatic duct is not  
20 seen here; correct?  
21 **A. Correct.**  
22 Q. And the right and left hepatic duct is not

85

1 seen here; right?

2 **A. Correct.**

3 Q. And this is kind of the classic

4 cholangiogram, isn't it, of an -- in gallbladder

5 surgery that says, gee, if I keep doing this

6 operation, I'm going to injure the patient?

7 MR. DONNELLY: Object to the form.

8 **A. Yeah. I don't know exactly the way you**

9 **said that, but it's an abnormal cholangiogram.**

10 Q. I mean, in your world, in the doctor's

11 world, don't you guys call this cholangiogram of

12 doom. You ever heard that phrase?

13 MR. DONNELLY: Object. Object to the

14 form.

15 **A. I've not heard that phrase.**

16 Q. Okay. If you were proctoring a young

17 surgeon and you're there side by side and you're

18 doing -- he's doing a gallbladder surgery and he

19 gets this cholangiogram, you don't tell him,

20 proceed; right?

21 MR. DONNELLY: Object to the form.

22 **A. I would say there's more to do.**

86

1 Q. Right, there's more to do. He has to

2 prove -- or discover, I guess, is a better word --

3 why this cholangiogram isn't filling in the cystic

4 duct and it's not filling in the hepatic duct;

5 right?

6 MR. DONNELLY: Same objection.

7 **A. Yeah. Primarily why it's not filling in a**

8 **retrograde fashion up into the common hepatic duct.**

9 Q. Right. I mean, that's a -- my words --

10 it's a classic stop sign in these cases, isn't it?

11 MR. DONNELLY: Object to the form.

12 **A. I guess those are your words.**

13 Q. Yeah.

14 **A. I'm not going to disagree.**

15 Q. You won't disagree. I know you won't,

16 because you've been consistent. And everybody I

17 talked to said you are a straight shooter.

18 And you would agree, I think, that the

19 cholangio catheter is in the common bile duct?

20 **A. It appears to be, yes.**

21 Q. Right.

22 And the object in these cases is to get it

87

1 into the cystic duct, shoot the dye in, see the

2 cystic duct separate and apart from the common

3 duct/common bile duct; correct?

4 **A. Correct.**

5 Q. And even if the cholangiogram is, again,

6 shot for elevated liver function results, it just

7 doesn't matter. You've got to look at the whole

8 thing; right?

9 MR. DONNELLY: Same objection.

10 **A. Yes.**

11 Q. Okay. All right. What do you think the

12 injury was as of the time Dr. King's surgery is

13 over?

14 **A. I -- well, I think, just, you know, going**

15 **from what was discovered later, it appears that**

16 **there was -- that there were clips on the common**

17 **bile duct lower, and that later, meaning at**

18 **subsequent operations, the common hepatic ducts or**

19 **the right and left hepatic duct coming out of the**

20 **liver were transected. So there is likely that**

21 **missing a segment of bile duct taken or resected**

22 **during the operation. Whether or not the status of**

88

1 **the ducts at the level of the liver -- I don't know**

2 **what that was. I mean, I -- Dr. Gerber and his --**

3 **who operated said that, and I would agree with him,**

4 **you don't know by seeing something sometime down the**

5 **line whether -- whether those ducts were initially**

6 **clipped or whether they were simply transected. And**

7 **you can have ducts transected and some of the**

8 **techniques actually coagulate -- like we coagulate**

9 **vessels, we can coagulate ducts.**

10 Q. Sure.

11 **A. So I don't know, you know, exactly. I**

12 **just -- I know the levels of the injury, but**

13 **whether -- you know, what it exactly looked like at**

14 **that time, I don't know.**

15 Q. You -- you know that there is an important

16 phone call five days postop; correct?

17 **A. Correct.**

18 Q. Let me ask you before I get there: Have

19 you ever heard of anything in your world,

20 gallbladder removal surgery, that would suggest that

21 while bile is festering in and around the -- where

22 the common hepatic duct has been transected that the

89

1 bile is eating away at the common hepatic duct and  
2 eating its way into the right and left hepatic duct?  
3 **A. I would not agree that that even happens.**  
4 **The bile doesn't do that.**  
5 Q. Right. And that's bad science for someone  
6 to suggest that bile moves up and destroys ducts;  
7 right?  
8 **A. It sets up inflammation.**  
9 Q. Sure.  
10 **A. But it's not -- it's -- inflammation is**  
11 **inflammation of the surrounding structures. It**  
12 **wouldn't be digestion.**  
13 Q. Right. That's crazy?  
14 MR. DONNELLY: Object to the form.  
15 BY MR. GLASS:  
16 Q. It's not science, is it? It's not good  
17 science for a doctor to say that; right?  
18 **A. I -- it's my understanding -- I know what**  
19 **it does. I've seen it. It causes inflammation.**  
20 Q. Sure. Okay. So let me ask maybe a better  
21 question. Do you agree that what Dr. Gerber found  
22 in terms of the -- sort of the level or, you know,

90

1 where the right and left hepatic ducts were cut or  
2 divided that that is what existed at the end of  
3 Dr. King's surgery? Does that make sense to you?  
4 **A. Yeah. And I think Dr. Abrams -- the**  
5 **operation -- the one operation, I think it was**  
6 **Dr. Abrams who operated --**  
7 Q. He did the first one, right.  
8 **A. Yeah. I think that's what he found, and**  
9 **he didn't do anything to alter that. So I think**  
10 **it's likely that the level of injury identified**  
11 **during the first operation is -- and later by**  
12 **Dr. Gerber is the -- probably the level that the**  
13 **ducts were injured at the -- during the gallbladder**  
14 **operation.**  
15 Q. Uh-huh. Right. And do you believe that  
16 she was draining bile from the end of Dr. King's  
17 surgery?  
18 **A. That can't be -- that's not clear.**  
19 Q. Okay.  
20 **A. And it doesn't always happen because there**  
21 **are ways of transecting ducts that will cause them**  
22 **to temporarily coagulate, fuse. Just like we do**

91

1 **with vessels, we coagulate and close vessels. They**  
2 **divide. Most of time that stays that way.**  
3 **Sometimes they can open. So I don't know. I don't**  
4 **know if it start -- if the bile started leaking or**  
5 **was leaking immediately or whether it started**  
6 **leaking later after the coagulum that holds that**  
7 **together fell off.**  
8 Q. That makes sense from a scientific  
9 standpoint. Do you -- it makes sense to me and I'm  
10 just a lawyer.  
11 Do you think that in any way there were  
12 clips on the common hepatic duct remnant that fell  
13 off sometime after surgery?  
14 **A. That could happen. I mean, that's -- the**  
15 **clips fall off. We know the most common bile leak**  
16 **issue we have after gallbladder surgery are clips**  
17 **that we place on the cystic duct that fall off, so**  
18 **that's far -- that happens in a couple percent of**  
19 **the operations we do. So we do know that clips**  
20 **applied can later fall off.**  
21 Q. But --  
22 **A. I don't know in this case whether that**

92

1 **happened.**  
2 Q. Yeah. I want to ask you, because there  
3 was no common hepatic duct remnant in this case;  
4 right? Once Dr. King closes, the common hepatic  
5 duct is gone. And she has very, very short right  
6 and left hepatic duct remnant; right?  
7 **A. Correct.**  
8 Q. Okay. So as I understand it then, you're  
9 saying it didn't really matter to the patient  
10 whether she was seen on day five or not; right?  
11 **A. I -- well, I believe the damage was done,**  
12 **and that by day -- postoperative day five --**  
13 Q. Uh-huh.  
14 **A. -- that injury was the same as was found**  
15 **later, and it didn't change or evolve further; and**  
16 **that the corrective surgery that was required would**  
17 **have been required had it been -- had the injury**  
18 **been discovered on postoperative day five or day**  
19 **twelve or at any time going forward.**  
20 Q. Right.  
21 **A. That the injury was -- the damage was done**  
22 **and that there was a reconstructive surgery**



93

1 **necessitated by that, and it would have been the**  
2 **same whenever discovered.**  
3 Q. Yeah. So the major injury, the damage to  
4 the -- to the highway, right, the biliary highway is  
5 done. But she's draining bile at some point, right,  
6 into her -- what's that, the peritoneum?  
7 A. **Yes. The peritoneal cavity, yes.**  
8 Q. And that's going on by day five; right?  
9 A. **I would -- I would guess. I mean, again,**  
10 **that can't even be said with certainty. But I**  
11 **wouldn't be surprised if it wasn't going on day**  
12 **five.**  
13 Q. And you know the standard in these cases  
14 is not beyond a reasonable doubt, but it is within a  
15 reasonable degree of medical probability; right?  
16 A. **Yes. I --**  
17 Q. So -- so not guessing and not saying  
18 beyond a reasonable doubt, as I understand what you  
19 just said, in your view, more likely than not,  
20 within a reasonable degree -- reasonable degree of  
21 medical probability, as of day five, she's draining  
22 bile?

94

1 A. **I -- that would be my guess in this case,**  
2 **yes.**  
3 Q. All right. And so that's -- and that's  
4 causing her some injury, even if the major injury --  
5 the major repair is going to be the same, she's  
6 being injured, right, because she's got bile that's  
7 draining into her peritoneum causing scarring;  
8 right?  
9 A. **It causes inflammation...**  
10 Q. Yeah, it's not good. It's damage; right?  
11 A. **It's -- yeah, it's inflammation.**  
12 Q. Yeah. And if a patient like that comes to  
13 you on day five or day six, you're not going to say,  
14 wait ten days, let's see what happens; right?  
15 You're going to -- you're going to intervene  
16 somehow?  
17 A. **Well, the first thing you do is try to**  
18 **figure out what happened --**  
19 Q. Yeah.  
20 A. **-- what it is, you know, what's the**  
21 **injury. And then you try to tailor a treatment for**  
22 **that injury, whatever that happens to be.**

95

1 Q. Right. So including, you know, okay,  
2 let's get her in the hands of a great hepatobiliary  
3 surgeon if we can; right?  
4 A. **Well, I mean -- that's -- I don't know**  
5 **about -- that's not a standard of care --**  
6 Q. No, I didn't ask that. But that's what  
7 you would do; right? You would -- you would try and  
8 find the best surgeon you could. And maybe that's  
9 you. I think you've done a lot of these; right?  
10 A. **I would manage it myself.**  
11 Q. Yeah, you would do it yourself. And you  
12 would give her some -- you would at least give her  
13 some medicine; right?  
14 A. **Well, you -- again, you try to investigate**  
15 **what it is -- again, what it is and this -- whatever**  
16 **case it is and then try to tailor a treatment for**  
17 **whatever that is.**  
18 Q. Right.  
19 A. **It's there's so many possibilities.**  
20 Q. Right. Sure. And if you think she's  
21 draining bile, then she's likely to have pain from  
22 that; right?

96

1 A. **It causes pain, yes.**  
2 Q. You're going to take care -- you're going  
3 to try to make her feel better at the very least;  
4 right?  
5 A. **Sure.**  
6 Q. Does the fact that it's draining bile from  
7 day five up until the time of Dr. Abrams' surgery  
8 make his surgery any more involved or difficult?  
9 A. **I don't think so.**  
10 Q. Okay. You don't look at what Dr. Abrams  
11 did and say he violated the standard of care; right?  
12 A. **No.**  
13 MR. GLASS: Okay. If we can go off the  
14 record, just give me a minute to look at my notes.  
15 THE VIDEOGRAPHER: One moment. Off the  
16 record at 1758.  
17 (Recess taken.)  
18 THE VIDEOGRAPHER: On the record at 1802.  
19 BY MR. GLASS:  
20 Q. I know you haven't seen the patient in  
21 this case, but what do you think her course will be  
22 from here?

97

1 **A. My understanding -- well, what happened**  
2 **from the records is she had the repair. She did**  
3 **later go on to develop a stricture that was treated**  
4 **with stenting -- dilatation and stents. The**  
5 **statistics on that are that she has about a two out**  
6 **of three chance of being done, that no further**  
7 **intervention should be required; about a one out of**  
8 **three chance that a similar stenting procedure would**  
9 **be necessary in the future.**  
10 Q. If she has a similar stenting procedure,  
11 does that change statistics for her going forward?  
12 Is that -- is that an indication that she may need  
13 more frequent stenting?  
14 **A. Again, it depends -- some -- there are --**  
15 **I don't want to just say something. We have hunches**  
16 **on that, but the data are basically the two-thirds**  
17 **success rate of being no further intervention.**  
18 **There are -- those who fail might have an underlying**  
19 **reason that they fail and therefore might be in a**  
20 **statistically more probable group to have -- you**  
21 **know, to fail a second or third or fourth time. So**  
22 **it depends on the etiology of the stricture. And**

98

1 **once it plateaus, it tends to plateau. Once -- you**  
2 **know, once the scar tissue settles and forms, it**  
3 **tends to stay. It depends on why it's formed in the**  
4 **first place; blood supply issue, other things like**  
5 **that.**  
6 Q. She was stented and then they removed the  
7 stents, I think.  
8 **A. Yes.**  
9 Q. Why do they do that?  
10 **A. Why do they remove the stent?**  
11 Q. Why do that? Yeah, is that part of the  
12 normal --  
13 **A. Yeah. That's normal.**  
14 Q. If someone gets a stent from this, then at  
15 some point later, they are going to get the stent  
16 removed?  
17 **A. Yes.**  
18 Q. Why is that?  
19 **A. Stents -- you don't want to leave stents**  
20 **in indefinitely. They have -- they can cause**  
21 **problems. They can clog. They can fail to work.**  
22 Q. Uh-huh.

99

1 **A. They can erode through structures. It's**  
2 **foreign material. But the purpose of the stent is**  
3 **to, in general, identify the narrow spot to open**  
4 **it --**  
5 Q. Uh-huh.  
6 **A. -- and then a new scar forms around the**  
7 **stent. The stent sort of is the limiting size of**  
8 **the scar. You want to leave it in long enough for**  
9 **new scar tissue to form and you know you have a**  
10 **defined caliber or diameter, so you say, okay, we**  
11 **know that the diameter of the new scar will be about**  
12 **this (indicates). You leave it in for several weeks**  
13 **until that scar forms and you remove it and hope**  
14 **that the scar stays about that size.**  
15 Q. Okay. So what's the procedure, very  
16 briefly -- because it's getting late -- for the  
17 stenting? Is it done under anesthesia? Is this a  
18 radiology -- is this interventional radiology?  
19 **A. It's an interventional radiology, yes.**  
20 Q. Okay.  
21 **A. The patient might be sedated, but not**  
22 **necessarily put to sleep.**

100

1 Q. Okay. And on the reverse, taking it out,  
2 is it -- is it basically the same thing, just in  
3 reverse, interventional radiology?  
4 **A. No. The taking out is much simpler than**  
5 **the putting in. The putting in is more involved.**  
6 **It requires, you know, a needle and cannulating and**  
7 **putting the catheter -- taking them out is something**  
8 **you can do in the office.**  
9 Q. When you -- okay. That's interesting.  
10 You have the vessel so you have the duct. I'm going  
11 to show my ignorance now. Is the stent going around  
12 the outside of the duct or is it intraluminal?  
13 **A. Intraluminal. You try to go into the**  
14 **lumen of the duct. You bridge --**  
15 Q. Uh-huh.  
16 **A. -- the stricture is the narrow spot --**  
17 Q. Right.  
18 **A. -- between the duct and the bowel that is**  
19 **sewn up to it. You want to -- first a wire goes**  
20 **through that. Once you get a wire to go through**  
21 **there --**  
22 Q. Sure.

101

1 **A. First, the radiologists cannulate the duct**  
2 **and squirt dye and define the anatomy, and then**  
3 **through that needle that they have done that, they**  
4 **pass a wire and try to get the wire to go through**  
5 **the stricture. Once a wire goes through the**  
6 **stricture, you take the needle off and then you put**  
7 **a stent over the wire until it goes all the way in**  
8 **and goes across the bridges, the stricture.**  
9 Q. Okay.  
10 **A. So part of the stent is in the intestines,**  
11 **the reconstruction, and part is in the liver. And**  
12 **there are side holes, so now bile can get through**  
13 **all that and flow through the duct, through the**  
14 **stent until the new scar forms.**  
15 Q. So the radiologist must be poking a hole  
16 in someplace.  
17 **A. Correct.**  
18 Q. With what? Is it a catheter of some sort?  
19 **A. It's a -- it's called a fine needle. A**  
20 **chiban. It's like a small needle, long needle, so**  
21 **about this long (indicates).**  
22 Q. Okay. But you can then remove it in the

102

1 office?  
2 **A. You can remove it anywhere. You could**  
3 **remove it --**  
4 Q. Not a safe way, but --  
5 **A. Yeah, it's easy to remove.**  
6 Q. Okay.  
7 **A. It requires -- you have to do it under**  
8 **fluoroscopic guidance to put it in. But once -- to**  
9 **remove it is simply -- you just pull them out. They**  
10 **come out easily.**  
11 Q. I don't want to go in too deep. I'm still  
12 not getting it. It's inside now the lumen of the --  
13 of the duct. So you have to get back inside the  
14 duct, right, to take this -- I take it --  
15 **A. No, it comes out -- it comes out -- oh, it**  
16 **goes in. It's not -- there's different kinds.**  
17 **There's kind you can -- called indwelling where you**  
18 **can kind of -- you put that plastic catheter in and**  
19 **you break them off and they sit there. But in this**  
20 **case, in a reconstruction case, it actually sticks**  
21 **out.**  
22 Q. Gotcha.

103

1 **A. It's hanging outside. It's a long tube**  
2 **with an external drainage.**  
3 MR. GLASS: That's all I have. Thanks.  
4 You good?  
5 MR. DONNELLY: I have no questions for  
6 you, Dr. Schirmer. Thank you.  
7 MR. GLASS: All right. Thank you, sir.  
8 THE VIDEOGRAPHER: One moment. Off the  
9 record. End deposition at 1808.  
10 (Signature not waived.)  
11 ---  
12 And, thereupon, the deposition was  
13 concluded at approximately 6:08 p.m.  
14 ---  
15  
16  
17  
18  
19  
20  
21  
22

104

1 ACKNOWLEDGMENT OF DEPONENT  
2 I, WILLIAM J. SCHIRMER, MD, do hereby  
3 acknowledge that I have read and examined the  
4 foregoing testimony, and the same is a true, correct  
5 and complete transcription of the testimony given by  
6 me and any corrections appear on the attached Errata  
7 sheet signed by me.  
8  
9  
10 \_\_\_\_\_  
11 (DATE) (SIGNATURE)  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

<p style="text-align: right;">105</p> <p>1                    CERTIFICATE 2    State of Ohio    : 3                    SS: 4    County of Franklin: 5 6                    I, Shayna M. Griffin, Notary Public in and 7    for the State of Ohio, duly commissioned and 8    qualified, certify that the within named witness was 9    by me duly sworn to testify to the whole truth in 10   the cause aforesaid; that the testimony was taken 11   down by me in stenotypy in the presence of said 12   witness, afterwards transcribed upon a computer; 13   that the foregoing is a true and correct transcript 14   of the testimony given by said witness taken at the 15   time and place in the foregoing caption specified. 16 17                    I certify that I am not a relative, 18   employee, or attorney of any of the parties hereto, 19   or of any attorney or counsel employed by the 20   parties, or financially interested in the action. 21 22                    IN WITNESS WHEREOF, I have set my hand and</p>	
<p style="text-align: right;">106</p> <p>1    affixed my seal of office at Columbus, Ohio, on this 2    8th day of December, 2015. 3 4 5 6 7                    _____ 8                    SHAYNA M. GRIFFIN, Notary Public 9                    in and for the State of Ohio 10                    and Registered Professional 11                    Reporter, Certified Realtime 12                    Reporter. 13                    My Commission expires June 12, 2018. 14 15 16 17 18 19 20 21 22</p>	

<b>A</b>			
<b>Abdominal</b> 18:9	<b>across</b> 67:7,11 101:8	50:6 51:11 52:7 54:6 59:11,13 60:9 62:11 62:12 63:10 64:21 65:18 66:10,19 72:16 73:13 76:21 82:14 87:5 93:9 95:14,15 97:14	81:18 82:1 83:19 84:13 87:11 94:3 101:7,13 103:3,7
<b>aberrant</b> 74:12,14,15,19 75:1	<b>action</b> 20:13 105:20	<b>actions</b> 21:9	<b>Allen</b> 2:10,12
<b>abnormal</b> 74:20 77:6,22 78:1 80:9,13,13 81:9,18 82:2,11,13 83:20 84:5 85:9	<b>actually</b> 8:22 13:9 17:2 41:7 42:19 63:14 67:5 84:8 88:8 102:20	<b>against</b> 6:14 14:1 15:1,18 37:18 46:12 61:13 73:5	<b>allow</b> 42:8 43:19 54:10
<b>abnormalities</b> 77:12 81:20 82:4,5	<b>acute</b> 69:20	<b>ago</b> 13:22 20:15 49:6 57:7 62:13	<b>almost</b> 13:21 31:6 73:6
<b>about</b> 8:1 9:2,10,14 12:22 13:14 14:10,17,17 15:7,11,18,20,21 16:17 18:16 19:5,9,12 19:19,19 20:2,9,14 21:4,6,7 22:16 24:15 24:16 26:2 31:3 33:22 37:11 38:6 39:1,3 40:6 41:19 49:11,12,15 50:21 51:18 53:5 56:8,9 58:12,16 60:13,21 61:2 65:20,22 67:4 74:8 75:1,3 79:11,17 80:21 81:20 83:16 95:5 97:5,7 99:11,14 101:21	<b>add</b> 60:18	<b>agree</b> 13:7 14:4 18:13,15,21 18:22 19:6,10,10,12 20:3 21:15 22:9,20 23:1,2,8,9 31:8 37:22 39:17 46:18 47:9 48:17 49:14 51:20 52:9,22 53:12,16,22 54:1 55:8,13 57:6,18 60:1,15,15 63:20 67:1 67:21 70:4,11,12 71:16 72:3,10 73:4,17 74:1,19 75:9,13,14,14 76:2 79:8 83:1,19 86:18 88:3 89:3,21	<b>along</b> 78:3,16
<b>Abrams</b> 90:4,6 96:7,10	<b>additional</b> 12:21	<b>ahead</b> 31:11 54:11	<b>already</b> 47:9 69:2 77:12
<b>absence</b> 80:6 82:1	<b>address</b> 50:13	<b>al</b> 5:11	<b>also</b> 2:17 12:12 54:19 58:16 61:4 68:18
<b>absent</b> 28:7 29:8,11 43:6,14 74:3,4,5	<b>adequately</b> 42:3 70:14	<b>Alimentary</b> 18:17	<b>alter</b> 90:9
<b>acceptable</b> 20:11 51:19	<b>adherence</b> 69:13	<b>all</b> 7:14 9:1,19 10:8 12:2 12:11 13:8,9 14:21 15:14,19 17:1,6 20:11 21:19 23:12 24:21 25:15 26:1,11 33:11 35:6 38:3 39:7 40:8 41:8 43:1 47:4,19 48:15 49:11,19 54:9 54:17,19 55:4,18 56:3 57:15 59:14 63:2 67:3 70:12 74:3 79:5	<b>always</b> 44:17 68:7 77:15 90:20
<b>accident</b> 50:21	<b>adhering</b> 54:2 55:9		<b>among</b> 11:8 28:2 77:15 81:1
<b>account</b> 60:10,11,16 70:5	<b>adjacent</b> 32:8 68:1		<b>anatomic</b> 27:12 30:19 37:4 68:13 68:18 81:19
<b>acknowledge</b> 104:3	<b>affirmatively</b> 33:7		<b>anatomy</b> 11:1 12:22 13:4,4 18:10,20 19:5 25:6 27:22 31:2,6 35:5 38:1 42:16 43:4 45:12 52:9 57:4 58:15 65:2 68:2,9 74:12,14,19,20 76:6 76:13,16 79:10,11,13 101:2
<b>ACKNOWLEDGM...</b> 104:1	<b>affirmed</b> 57:14		<b>Anderson</b> 1:16
	<b>affixed</b> 106:1		<b>anesthesia</b> 99:17
	<b>aforesaid</b> 105:10		<b>Anna</b> 38:4 47:5 53:15
	<b>after</b> 7:6 68:3 91:6,13,16		<b>another</b> 18:16 19:1 31:4 32:7 47:5 67:1
	<b>afternoon</b> 6:10		<b>answer</b> 18:4 20:21 21:3 27:20 28:10,15 29:7 31:15
	<b>afterwards</b> 105:12		
	<b>again</b> 7:3,11 10:14 11:1 14:2 16:5 17:9 20:6 22:4 23:20 24:9 32:22 33:3 34:9 35:7 36:16 37:16 38:3,5 41:2 42:5,10 47:4,5 48:16		

<p>33:19,19 38:11 66:13                  66:20 67:1  <b>answered</b>                  27:5 40:19  <b>answering</b>                  66:7  <b>answers</b>                  39:7  <b>any</b>                  7:2,9,22 11:21 20:11                  40:21 41:17 46:14                  64:14 68:5 91:11                  92:19 96:8 104:6                  105:18,19  <b>anything</b>                  18:21 19:4 21:11 39:11                  49:14 50:21 88:19                  90:9  <b>anywhere</b>                  72:11 78:3,16 102:2  <b>apart</b>                  84:14 87:2  <b>apparent</b>                  44:11 45:3  <b>apparently</b>                  32:15  <b>appear</b>                  104:6  <b>appearance</b>                  34:16 52:13  <b>APPEARANCES</b>                  2:1  <b>appeared</b>                  62:16  <b>appears</b>                  57:1 86:20 87:15  <b>applied</b>                  91:20  <b>apply</b>                  32:11  <b>appreciated</b>                  58:21  <b>approach</b>                  24:19  <b>approximately</b>                  103:13  <b>area</b></p>	<p>16:18 19:14 69:7,7  <b>argue</b>                  55:1 72:6  <b>arms</b>                  59:8  <b>around</b>                  69:8 88:21 99:6 100:11  <b>artery</b>                  56:4,4,6,11,14,14                  59:17 61:10  <b>asked</b>                  8:2,3 15:11 29:16                  40:19 41:20  <b>asking</b>                  49:20,20 56:8,8  <b>asks</b>                  42:2  <b>aspect</b>                  72:20  <b>assess</b>                  75:21,22  <b>assessing</b>                  19:9 76:13 77:1  <b>Associates</b>                  1:8 2:3  <b>Association</b>                  33:2  <b>assume</b>                  8:11 30:2 32:3 33:16                  34:19 35:16,20 37:9  <b>assumption</b>                  35:12,13 36:13 37:10                  42:9  <b>assumptions</b>                  37:1 39:10  <b>attach</b>                  28:19  <b>attached</b>                  104:6  <b>attorney</b>                  2:2,9 6:11 14:13 30:9                  41:20 42:2 105:18,19  <b>attorneys</b>                  7:4 14:16  <b>author</b>                  63:6  <b>authorities</b></p>	<p>19:13,18 22:7,11  <b>authority</b>                  22:19 23:4  <b>avoid</b>                  53:20 71:7  <b>avoidable</b>                  53:13,20 54:2 55:9  <b>aware</b>                  29:2 47:7  <b>away</b>                  39:19 89:1  <b>Awender</b>                  17:14 27:4</p> <hr/> <p style="text-align: center;"><b>B</b></p> <hr/> <p><b>back</b>                  16:3 32:18 39:18 42:12                  45:15 49:3 72:9                  102:13  <b>bad</b>                  51:15 89:5  <b>Bailey</b>                  14:1 22:5 23:21 24:10                  33:2 41:2,10,14,19,21                  45:8 51:12 61:12                  73:5  <b>Barry</b>                  3:22 4:4,11,16 38:4                  47:5 53:15  <b>based</b>                  37:13 71:16  <b>basically</b>                  18:19 48:5 61:2 97:16                  100:2  <b>basis</b>                  63:10  <b>batting</b>                  53:8  <b>became</b>                  45:7  <b>because</b>                  13:2,2 23:13 25:19                  29:16 30:3 33:8,12                  35:10,10,15,19,22                  36:2,12 37:6,6,8                  39:14 40:12 41:3                  42:16 43:17 47:22                  49:16 50:6,8,12 57:18</p>	<p>58:2,4 61:19,22 63:5                  64:15 65:9 66:16                  68:12 70:2 72:22                  75:4 76:22 77:9                  79:11 80:13,14,21                  81:19 82:19 83:12                  84:18 86:16 90:20                  92:2 94:6 99:16  <b>become</b>                  44:11  <b>becomes</b>                  45:2  <b>bed</b>                  62:14  <b>been</b>                  6:12 9:20 10:4,13,17                  10:20 11:10 17:6                  20:22 40:5,10,19 56:1                  56:6 59:14 70:13,17                  70:18,20,21 71:9 74:8                  82:15 84:18 86:16                  88:22 92:17,17,18                  93:1  <b>before</b>                  18:10,12,20 19:4 25:11                  26:19 42:14 45:12                  88:18  <b>beginning</b>                  67:18  <b>begins</b>                  5:8  <b>behalf</b>                  2:8,15 14:4  <b>being</b>                  6:5 20:9 40:16 42:6                  49:13 94:6 97:6,17  <b>belief</b>                  38:7,7 45:16 46:1,10                  71:15  <b>believe</b>                  14:12 24:18 39:2 40:12                  55:16 56:5 68:9                  90:15 92:11  <b>believing</b>                  39:5  <b>Ben</b>                  5:18 6:11</p>
--	---	---	---

<p><b>Benjamin</b> 2:2,3</p> <p><b>best</b> 95:8</p> <p><b>better</b> 86:2 89:20 96:3</p> <p><b>between</b> 33:22 36:17 37:13 58:21 100:18</p> <p><b>beyond</b> 7:18 54:9 71:13,20 93:14,18</p> <p><b>bglass@benglasslaw...</b> 2:7</p> <p><b>big</b> 41:9 45:7,15</p> <p><b>bile</b> 12:5 28:5 41:12 44:15 47:8,13,16 48:19 50:4 50:4 52:22 53:9,13 54:2 55:8 56:21 57:2 57:10 67:7,11,14 68:5 69:2 76:5,10,14 77:21 78:7 80:1,2 81:10 84:15 86:19 87:3,17 87:21 88:21 89:1,4,6 90:16 91:4,15 93:5,22 94:6 95:21 96:6 101:12</p> <p><b>biliary</b> 11:12 12:14 13:4,4 19:8 27:11 43:4 71:2 78:4,5,11 79:6,19 80:9 84:7 93:4</p> <p><b>bit</b> 26:11</p> <p><b>bladder</b> 30:17</p> <p><b>bleed</b> 50:3</p> <p><b>blood</b> 98:4</p> <p><b>Bob</b> 25:16 32:19</p> <p><b>book</b> 18:7,8,14,17 19:1,21 20:5 42:21</p>	<p><b>books</b> 17:15 19:11,17,18 20:1 31:6</p> <p><b>born</b> 34:4</p> <p><b>bowel</b> 100:18</p> <p><b>boxes</b> 40:9</p> <p><b>Bradford</b> 1:7 5:11 6:14</p> <p><b>branch</b> 56:6</p> <p><b>branches</b> 56:22</p> <p><b>breach</b> 20:9 44:14</p> <p><b>breached</b> 37:19</p> <p><b>breaches</b> 15:18</p> <p><b>break</b> 102:19</p> <p><b>bridge</b> 100:14</p> <p><b>bridges</b> 101:8</p> <p><b>brief</b> 47:2</p> <p><b>briefly</b> 99:16</p> <p><b>Brightwell</b> 52:16</p> <p><b>bring</b> 40:8</p> <p><b>brings</b> 11:19</p> <p><b>broad</b> 81:16</p> <p><b>broaden</b> 14:15</p> <p><b>broadsided</b> 50:20 51:8</p> <p><b>brought</b> 45:8</p> <p><b>Butler</b> 83:8</p>	<p style="text-align: center;"><b>C</b></p> <hr/> <p><b>C</b> 5:1 52:16 57:22</p> <p><b>calendar</b> 7:13</p> <p><b>caliber</b> 99:10</p> <p><b>call</b> 38:19 74:3 77:14 80:2 84:5 85:11 88:16</p> <p><b>called</b> 18:8 25:16 26:15 29:8 30:17 52:6 83:5 101:19 102:17</p> <p><b>Calot</b> 10:21 11:3,9 19:4 57:16,20 58:10 60:11 63:12,22 64:10 65:10</p> <p><b>came</b> 12:8 14:20</p> <p><b>cannulate</b> 101:1</p> <p><b>cannulating</b> 100:6</p> <p><b>caption</b> 105:15</p> <p><b>car</b> 51:6,7</p> <p><b>care</b> 7:16,20 8:10 9:15 11:8 14:11,18 15:19 20:10 20:14 21:20 37:19 38:10,18 40:12,22 44:15 45:19 63:10,18 63:21 64:9,12 65:1 68:6 72:20 75:16 76:18 77:7 95:5 96:2 96:11</p> <p><b>career</b> 15:5</p> <p><b>careful</b> 62:11</p> <p><b>careless</b> 51:9 73:2</p> <p><b>Carmen</b> 52:6</p> <p><b>Carolina</b></p>	<p>8:11,19</p> <p><b>carried</b> 46:15</p> <p><b>carry</b> 34:21 40:14 43:11</p> <p><b>case</b> 1:6 5:13 6:13 7:14 8:12 11:14 13:11 14:3,7,10 14:14,22 15:21 16:5 17:10,14 20:7,8 22:5 23:22 24:10 25:13,16 27:4 33:3,19 34:13 35:7 37:17 38:4 39:20,20 41:2,4,14 42:7 45:10,11 47:5 48:16 49:6 51:12 52:2,3,6,16 53:15 54:4 55:13 56:3,19 57:3,9,22 58:8 60:9 61:13,18 64:7,22 65:19 66:13,18,20 68:9 70:8 71:2,16 77:4 83:5,6,7,17,18 84:18 91:22 92:3 94:1 95:16 96:21 102:20,20</p> <p><b>cases</b> 9:20 10:4,10 14:4 15:10 21:19 28:1,2 31:4 40:3 44:4,9,13 46:11 47:14 49:7,8 63:9 68:8,21 70:18,22 71:10,11 73:7 86:10 86:22 93:13</p> <p><b>category</b> 76:15</p> <p><b>catheter</b> 86:19 100:7 101:18 102:18</p> <p><b>cause</b> 78:19 90:21 98:20 105:10</p> <p><b>caused</b> 44:18 47:8</p> <p><b>causes</b> 89:19 94:9 96:1</p> <p><b>causing</b></p>
---	---	---	---

<p>94:4,7  <b>cavity</b>                  93:7  <b>certain</b>                  25:5 39:8 42:13  <b>certainly</b>                  84:6  <b>certainty</b>                  93:10  <b>certificate</b>                  83:5 105:1  <b>certified</b>                  6:6 106:10  <b>certify</b>                  105:8,17  <b>challenging</b>                  68:20  <b>chance</b>                  62:2 97:6,8  <b>change</b>                  92:15 97:11  <b>changed</b>                  11:21  <b>Chapel</b>                  8:20  <b>chapter</b>                  19:2  <b>chiban</b>                  101:20  <b>cholangio</b>                  86:19  <b>cholangiogram</b>                  8:11 9:13 12:13,13,15                  12:18,20 13:1,3 39:4                  58:6,16 59:22 60:5,6                  60:18 67:13 75:9,15                  76:12,17 77:8 78:7,11                  79:3,9,11,12,18 80:8                  80:9 81:4,9 82:3,7,18                  82:21 83:10,13,18,20                  84:1,13 85:4,9,11,19                  86:3 87:5  <b>cholangiogrammed</b>                  11:11  <b>cholangiograms</b>                  78:1 81:19  <b>cholangiography</b></p>	<p>19:5 75:22 76:3  <b>cholecyst</b>                  30:18  <b>cholecystectomy</b>                  15:6,10 22:8,17 23:11                  25:19  <b>cholecystitis</b>                  69:20,21  <b>Christine</b>                  1:4 5:10,19  <b>chronic</b>                  69:20  <b>Circuit</b>                  1:2 5:11  <b>circumstances</b>                  20:11 21:9,21 22:2  <b>City</b>                  1:3 5:12  <b>clarified</b>                  27:6 58:1  <b>clarify</b>                  12:10 19:5 32:6 39:17                  59:7 76:16  <b>clarifying</b>                  28:10 30:7 31:15  <b>classic</b>                  85:3 86:10  <b>Clay</b>                  3:8,9,15,16,20 4:2,3,5                  4:7 22:4 24:10 33:1                  45:7  <b>clear</b>                  35:22 58:7 90:18  <b>clearly</b>                  58:11  <b>Clinic</b>                  16:20 17:2  <b>clipped</b>                  25:8 88:6  <b>clipping</b>                  39:3  <b>clips</b>                  56:5,8 69:10 87:16                  91:12,15,16,19  <b>clog</b>                  98:21  <b>close</b></p>	<p>91:1  <b>closed</b>                  45:4  <b>closes</b>                  92:4  <b>CL14-349</b>                  1:6 5:13  <b>coagulate</b>                  88:8,8,9 90:22 91:1  <b>coagulum</b>                  91:6  <b>Colombo</b>                  2:18 5:15  <b>Columbus</b>                  1:18 106:1  <b>come</b>                  26:12,16,20 27:15                  28:16 29:20 30:3                  42:14,15 46:4 102:10  <b>comes</b>                  26:13 27:11 28:11 29:3                  29:19 94:12 102:15                  102:15  <b>coming</b>                  29:18 30:1 56:22 69:8                  87:19  <b>commented</b>                  48:21  <b>Commission</b>                  106:12  <b>commissioned</b>                  105:7  <b>common</b>                  12:4,5,5 28:4,5,5 31:18                  33:21 41:12 44:4,15                  44:16 47:13 48:18                  52:10 53:13 54:2                  55:8,14,21,21,22                  56:19,21 57:2,10                  59:17,20 65:22 67:5,7                  67:11,14,17 68:4 69:2                  69:7,11 70:6,14 71:3                  71:10,19 72:12 73:20                  73:20 75:7 80:1,2,22                  81:10 84:15,19 86:8                  86:19 87:2,16,18                  88:22 89:1 91:12,15</p>	<p>92:3,4  <b>complete</b>                  81:21 104:5  <b>completely</b>                  54:20 80:14,17  <b>complex</b>                  69:4,9  <b>complication</b>                  38:22 49:13,16 50:7,13                  50:14,19 51:14,15,17                  51:17,18 53:19  <b>complications</b>                  68:11  <b>comply</b>                  38:10 45:18  <b>component</b>                  11:6  <b>computer</b>                  105:12  <b>concerned</b>                  31:3  <b>concerns</b>                  76:14  <b>concluded</b>                  103:13  <b>conclusion</b>                  42:14,15  <b>condition</b>                  29:8  <b>conditions</b>                  77:21  <b>conduct</b>                  9:2 10:18 23:11 53:21  <b>configuration</b>                  74:18 75:2  <b>confirmation</b>                  58:15  <b>conform</b>                  11:7  <b>confronted</b>                  21:8  <b>confused</b>                  65:8  <b>confusing</b>                  64:4  <b>congenital</b>                  34:3</p>
---	---	---	--



<p><b>consistent</b> 39:16 40:5,10 59:14 82:16 86:16</p> <p><b>contact</b> 8:4</p> <p><b>context</b> 20:21 39:20 66:7</p> <p><b>continued</b> 4:1 17:3 27:21</p> <p><b>continuing</b> 54:8</p> <p><b>contrast</b> 79:7</p> <p><b>convinced</b> 41:10</p> <p><b>cool</b> 21:17 42:20</p> <p><b>copy</b> 5:6</p> <p><b>corner</b> 59:2,2,3,12,12,12</p> <p><b>Corpus</b> 25:17</p> <p><b>correct</b> 6:16 7:16 9:16,20,21 10:1,2,4,11,12,15,21 11:13 12:14,19 13:6 13:18 14:7,8 15:3,8 16:22 17:5,11 21:22 25:13,22 26:12 42:4 42:17 43:4,5 44:3,19 45:14 46:17 47:17,18 48:8 50:11 51:21 52:4,8,21 53:4,11 55:17 56:2,4 58:18 59:19,22 60:7 63:19 67:9 74:9,10 78:10,14 78:18,21 79:4,7,14 80:19 83:15 84:20,21 85:2 87:3,4 88:16,17 92:7 101:17 104:4 105:13</p> <p><b>corrections</b> 104:6</p> <p><b>corrective</b> 92:16</p> <p><b>correctly</b></p>	<p>45:17 61:21 68:10</p> <p><b>Cotner</b> 4:10,13,14 52:15 57:9 57:21</p> <p><b>could</b> 27:22 32:8 50:2,3,3,3,4 50:19 53:7 80:12,17 82:12 84:9 91:14 95:8 102:2</p> <p><b>couldn't</b> 61:22</p> <p><b>counsel</b> 5:17 105:19</p> <p><b>County</b> 14:1 33:3 83:8 105:4</p> <p><b>couple</b> 7:3 62:16 84:9 91:18</p> <p><b>course</b> 8:15 26:19 28:6 30:14 41:9 45:11 50:14 53:10 68:1,10 76:15 84:17,18 96:21</p> <p><b>courses</b> 28:13 34:12 69:8</p> <p><b>court</b> 1:2 5:11,16 16:1</p> <p><b>Crane</b> 54:20</p> <p><b>crazy</b> 89:13</p> <p><b>credibility</b> 54:16</p> <p><b>critical</b> 10:13,17,20 11:4,10 13:5 24:15 61:1 62:18,20,21,22 63:3 63:14,15 70:13,18</p> <p><b>criticisms</b> 70:21</p> <p><b>Cross-Examination</b> 3:5 6:7</p> <p><b>CRR</b> 1:20</p> <p><b>cut</b> 18:21 19:4 25:11 43:19 56:1,3,11,12,15 57:11 66:1,17 67:7,11 90:1</p>	<p><b>cuts</b> 56:18,19 57:3 69:3</p> <p><b>cutting</b> 25:12,20</p> <p><b>cystic</b> 18:13 25:12 26:14,18 27:7,8,10 28:3,7,7,11 29:3,8,9,10 30:2,11 30:14,19,21 31:13,19 32:4 33:17,20 34:20 34:20 35:11,16,17,19 35:21 36:2,2,13,18,19 37:9,21,21 38:9 41:10 41:11 43:7,13,14,14 45:17 47:12,16 52:10 55:20 56:4,11 59:16 59:17 60:4 61:9,10 67:4 73:18 75:17 84:11,14,16 86:3 87:1 87:2 91:17</p> <hr/> <p style="text-align: center;"><b>D</b></p> <hr/> <p><b>D</b> 3:1 5:1 27:4</p> <p><b>damage</b> 92:11,21 93:3 94:10</p> <p><b>damages</b> 15:21 40:16</p> <p><b>data</b> 97:16</p> <p><b>date</b> 5:14 104:11</p> <p><b>dated</b> 19:21</p> <p><b>Davis</b> 25:17</p> <p><b>day</b> 92:10,12,12,18,18 93:8 93:11,21 94:13,13 96:7 106:2</p> <p><b>days</b> 88:16 94:14</p> <p><b>debate</b> 42:1 72:17</p> <p><b>decades</b> 9:19 10:9 15:15 40:6</p> <p><b>December</b> 106:2</p>	<p><b>decision</b> 46:5,8 54:20</p> <p><b>deep</b> 102:11</p> <p><b>defects</b> 77:14 80:6 82:1</p> <p><b>defend</b> 14:6 72:19</p> <p><b>defendant</b> 23:22 64:7,8</p> <p><b>defendants</b> 1:10 2:15 14:5</p> <p><b>defended</b> 68:8</p> <p><b>defending</b> 13:18</p> <p><b>defense</b> 14:17 47:22</p> <p><b>define</b> 101:2</p> <p><b>defined</b> 99:10</p> <p><b>definition</b> 21:6 30:20</p> <p><b>definitions</b> 63:4 75:1</p> <p><b>degree</b> 67:22 93:15,20,20</p> <p><b>delivery</b> 5:4</p> <p><b>dense</b> 69:22</p> <p><b>depends</b> 97:14,22 98:3</p> <p><b>DEPONENT</b> 104:1</p> <p><b>deposition</b> 1:12 3:8,9,10,11,12,13 3:14,15,16,17,19,20 3:21,22 4:2,3,4,5,6,7 4:8,9,10,11,12,13,14 4:15,16,17,18,19 5:9 9:13 13:10,14 17:13 17:20 19:22 25:16 27:2 33:1 35:8 38:4 40:9 41:15,16 45:8 46:16,21 47:3 49:5</p>
---	--	---	--

<p>52:15 53:3,7 61:14,20                  62:1 63:11,17 64:8                  66:12 71:1,18 72:4,8                  72:14 103:9,12  <b>depositions</b>                  7:9,12 8:12,17 10:1                  32:9 35:2 36:17 39:7                  39:15,16 58:22  <b>depth</b>                  47:15  <b>describe</b>                  24:12 25:4 48:4 62:1                  69:16 71:3 72:10  <b>described</b>                  43:10 46:14,19 49:3,4                  61:17 62:18 63:5                  72:7  <b>describes</b>                  47:12  <b>describing</b>                  47:6 51:13  <b>description</b>                  69:15  <b>designate</b>                  54:21  <b>designation</b>                  7:19 54:10 71:21  <b>designed</b>                  25:5 43:21 44:2 78:7                  78:11  <b>despite</b>                  38:20 62:4  <b>destroys</b>                  89:6  <b>detail</b>                  73:14  <b>details</b>                  42:11  <b>develop</b>                  33:5 38:1 69:12 97:3  <b>developed</b>                  25:19 63:3  <b>developing</b>                  68:2  <b>deviating</b>                  68:5  <b>deviation</b></p>	<p>64:22  <b>diameter</b>                  99:10,11  <b>die</b>                  50:2  <b>difference</b>                  33:22 36:16 37:13                  52:19 58:21  <b>different</b>                  13:12 22:12 26:16 69:9                  75:5 81:7 102:16  <b>differentiation</b>                  49:9  <b>differently</b>                  57:6  <b>difficult</b>                  68:6 76:6 79:13 96:8  <b>digestion</b>                  89:12  <b>Digestive</b>                  19:2  <b>dilatation</b>                  97:4  <b>disagree</b>                  31:2 64:12 73:15 86:14                  86:15  <b>discover</b>                  86:2  <b>discovered</b>                  87:15 92:18 93:2  <b>discuss</b>                  24:19  <b>discussed</b>                  7:4 9:6 19:3 20:3  <b>discusses</b>                  18:11,19  <b>discussion</b>                  7:6 58:12  <b>disease</b>                  34:4  <b>Diseases</b>                  19:2  <b>dissect</b>                  39:5 57:19 58:3,3,5,10  <b>dissected</b>                  58:12  <b>dissecting</b></p>	<p>60:14  <b>dissection</b>                  58:14 60:12,17,19 71:5  <b>dissections</b>                  68:20  <b>divide</b>                  56:13,15 91:2  <b>divided</b>                  56:22 90:2  <b>division</b>                  57:1 69:11  <b>doctor</b>                  10:11 13:11 29:15                  37:18,20 43:18 48:1                  89:17  <b>doctors</b>                  10:14 15:2 17:1 39:10                  44:17 74:11  <b>doctor's</b>                  85:10  <b>doing</b>                  9:15 12:16 13:5 18:1                  18:12 23:17 24:20                  34:2,22 36:4 58:14                  59:15 61:5,7 79:9                  80:16 82:6 85:5,18,18  <b>done</b>                  6:20 15:13 45:16 65:2                  66:2 72:22 73:6                  92:11,21 93:5 95:9                  97:6 99:17 101:3  <b>Donnelly</b>                  2:9,10 5:5,20,20 7:17                  8:5 9:17 16:7,11,15                  19:16 20:16 24:6                  26:6 32:20 40:18,21                  44:20 50:22 51:3                  54:5,8,13,19 55:2                  62:9 64:3 65:4,14                  67:15 69:18 70:9,16                  71:12,20 72:15 73:3,9                  73:22 75:12,18 80:11                  82:22 83:21 84:3                  85:7,13,21 86:6,11                  87:9 89:14 103:5  <b>doom</b>                  85:12</p>	<p><b>doubt</b>                  93:14,18  <b>down</b>                  52:18 57:1 78:4 80:3                  88:4 105:11  <b>Dr</b>                  5:21 6:10,14 7:15,20                  9:2,13 14:1,9 17:14                  24:10 25:17 27:4                  33:2 40:13 41:10,14                  41:19,21 45:8 48:2                  49:22 52:16 55:14                  61:12 70:22 71:18                  73:5 82:15 87:12                  88:2 89:21 90:3,4,6                  90:12,16 92:4 96:7,10                  103:6  <b>drain</b>                  30:14 50:4  <b>drainage</b>                  26:17 103:2  <b>draining</b>                  28:19 90:16 93:5,21                  94:7 95:21 96:6  <b>drains</b>                  27:9 29:10 30:13,18,21                  31:14,18,20,21  <b>draw</b>                  27:22  <b>drive</b>                  51:6  <b>driving</b>                  51:7  <b>duct</b>                  10:15 12:4,5 18:13                  25:2,12,12 26:13,14                  26:18 27:7,8,9,10                  28:3,4,5,5,5,7,7,11,11                  28:13,18,20 29:2,3,8                  29:9,9,10,18,19 30:1                  30:2,11,11,13,14,18                  30:19,21,22,22 31:13                  31:14,14,19,19,20,21                  32:4,8 33:4,15,17,20                  33:21 34:2,10,11,12                  34:20,20 35:9,12,16                  35:17,20,21 36:1,2,2</p>
---	---	---	---

<p>36:3,4,9,13,15,18,19                  37:2,7,9,21,21 38:8,9                  38:9 41:10,11,12 42:4                  43:14,14,14,20 44:4                  44:15,16 45:18 47:8                  47:12,13,13,16,16,16                  48:3,19 50:4 52:10,10                  52:22 53:9,13 54:2                  55:8,14,20,21,22 56:1                  56:19,20,21 57:2,4,11                  59:16,17,17,21 60:4                  61:9 66:1 67:4,5,8,11                  67:14,17 68:5 69:2,7                  70:6,15 71:4,19 72:12                  73:18,21 75:17 76:5                  76:10,14,20 77:21                  78:7 80:1,2,2,22                  81:11 84:11,14,15,16                  84:19,22 86:4,4,8,19                  87:1,2,3,17,19,21                  88:22 89:1,2 91:12,17                  92:3,5,6 100:10,12,14                  100:18 101:1,13                  102:13,14</p> <p><b>ductile</b>                  32:2,3 41:22 60:3 61:4                  68:3,11,17,19 69:1,9                  69:12 71:7 80:15</p> <p><b>ducts</b>                  25:6 26:15,20 27:15                  28:16,18 29:4,4,20                  30:3,3,16,20 33:9,13                  36:21 37:4 43:7                  77:15 80:1 87:18                  88:1,5,7,9 89:6 90:1                  90:13,21</p> <p><b>duct/common</b>                  81:10 87:3</p> <p><b>duct/hepatic</b>                  84:15</p> <p><b>duly</b>                  6:5 105:7,9</p> <p><b>duodenum</b>                  78:8,12,17 80:3,4                  81:22</p> <p><b>during</b>                  37:2 38:16 87:22 90:11</p>	<p>90:13</p> <p><b>DVD</b>                  5:3</p> <p><b>dye</b>                  87:1 101:2</p> <hr/> <p style="text-align: center;"><b>E</b></p> <hr/> <p><b>E</b>                  3:1 5:1,1 22:6 27:4,4                  52:6,6,16 57:22</p> <p><b>earlier</b>                  34:11 41:19 42:12                  60:21 75:3 79:17</p> <p><b>easily</b>                  102:10</p> <p><b>easy</b>                  102:5</p> <p><b>eating</b>                  89:1,2</p> <p><b>echoing</b>                  71:14</p> <p><b>edges</b>                  60:14</p> <p><b>Edition</b>                  18:9</p> <p><b>educate</b>                  14:6</p> <p><b>educated</b>                  14:9</p> <p><b>effort</b>                  58:14</p> <p><b>efforts</b>                  71:6</p> <p><b>either</b>                  29:11 34:3 44:4,5                  66:11 67:13 69:22                  74:17 80:12</p> <p><b>elaborate</b>                  76:7</p> <p><b>elaboration</b>                  47:3</p> <p><b>elevate</b>                  61:3</p> <p><b>elevated</b>                  76:19 77:22 78:19 79:2                  82:19 83:10 87:6</p> <p><b>elicited</b>                  7:20</p>	<p><b>else</b>                  66:11,14</p> <p><b>email</b>                  5:3</p> <p><b>employed</b>                  105:19</p> <p><b>employee</b>                  105:18</p> <p><b>empties</b>                  28:14 31:17</p> <p><b>empty</b>                  28:3 30:11</p> <p><b>encompassing</b>                  23:12</p> <p><b>enjoin</b>                  28:4</p> <p><b>enough</b>                  9:4 38:10 45:18 62:15                  72:21 99:8</p> <p><b>enter</b>                  32:2 33:9</p> <p><b>entering</b>                  32:8 33:4 41:22</p> <p><b>entire</b>                  11:12 12:14 79:19 80:9                  82:8,20 84:7</p> <p><b>entirely</b>                  82:15</p> <p><b>episode</b>                  47:5</p> <p><b>erode</b>                  99:1</p> <p><b>Errata</b>                  104:6</p> <p><b>error</b>                  38:16</p> <p><b>et</b>                  5:11</p> <p><b>etiology</b>                  97:22</p> <p><b>Etran</b>                  5:3,6</p> <p><b>evaluating</b>                  37:17 63:9</p> <p><b>evaluator</b>                  46:11</p> <p><b>even</b></p>	<p>7:7 9:8 40:8 48:4,21                  61:21,22 62:7 63:11                  63:15 68:2,4 87:5                  89:3 93:10 94:4</p> <p><b>event</b>                  50:16 64:14</p> <p><b>events</b>                  50:15</p> <p><b>eventually</b>                  46:4</p> <p><b>ever</b>                  22:11 30:5 85:12 88:19</p> <p><b>every</b>                  14:3 53:1 57:19 58:8,9                  77:4,5</p> <p><b>everybody</b>                  28:21 86:16</p> <p><b>everything</b>                  18:19</p> <p><b>evidence</b>                  37:20</p> <p><b>evident</b>                  62:5</p> <p><b>eviscerate</b>                  54:20</p> <p><b>evolve</b>                  92:15</p> <p><b>exactly</b>                  9:8 12:9 23:13 41:14                  42:7 44:1 54:13 60:5                  61:12,17 63:8 83:7,9                  85:8 88:11,13</p> <p><b>examine</b>                  55:2</p> <p><b>examined</b>                  104:3</p> <p><b>example</b>                  34:13 43:13</p> <p><b>Excerpt</b>                  3:8,9,10,11,12,13,14                  3:15,16,17,19,20,21                  3:22 4:2,3,4,5,6,7,8,9                  4:10,11,12,13,14,15                  4:16,17,18,19</p> <p><b>excuse</b>                  64:1 73:19</p> <p><b>exhibit</b></p>
--	---	---	--

3:8,9,10,11,12,13,14 3:15,16,17,18,19,20 3:21,22 4:2,3,4,5,6,7 4:8,9,10,11,12,13,14 4:15,16,17,18,19,20 13:20 14:2,21 15:22 16:4,4,8,9,9 17:9,12 20:6 22:3 23:19,20 24:9 25:15 26:1,7,21 32:17 47:4,20 48:9,15 51:5 52:1,5,14 53:4 53:14 54:4 55:8 57:9 57:20 60:8 63:22 64:1 65:18 70:7 83:4	<b>fact</b> 12:2 16:19 34:15,20 36:19 37:15 50:16 63:20 72:11 96:6	<b>final</b> 19:7	<b>formed</b> 7:22 9:2,4,11 98:3
<b>exhibits</b> 3:7 4:1,21 54:11 65:9	<b>factual</b> 15:20	<b>financially</b> 105:20	<b>forms</b> 98:2 99:6,13 101:14
<b>existed</b> 90:2	<b>fail</b> 97:18,19,21 98:21	<b>find</b> 14:5 22:18 44:18 46:19 53:8 95:8	<b>formulated</b> 40:21
<b>experience</b> 16:17,18 46:22 47:13 54:16	<b>failed</b> 10:14,21 62:6	<b>fine</b> 5:6 66:15 101:19	<b>forth</b> 30:12
<b>expert</b> 6:12	<b>fair</b> 53:5 72:21	<b>finish</b> 31:10	<b>forward</b> 92:19 97:11
<b>expires</b> 106:12	<b>Fairfax</b> 2:5 6:11	<b>firm</b> 14:13	<b>found</b> 15:14 17:15 44:17 55:16 89:21 90:8 92:14
<b>explain</b> 21:1 29:1,7,14 32:10 34:6 35:3 66:20	<b>fall</b> 91:15,17,20	<b>first</b> 6:5 9:9 13:16 83:19 90:7,11 94:17 98:4 100:19 101:1	<b>foundation</b> 45:22 46:1,8
<b>explanations</b> 77:11,11	<b>false</b> 34:5	<b>five</b> 88:16 92:10,12,18 93:8 93:12,21 94:13 96:7	<b>fourth</b> 97:21
<b>exposing</b> 19:3	<b>far</b> 91:18	<b>five-volume</b> 18:18	<b>Franklin</b> 105:4
<b>extent</b> 20:2	<b>fashion</b> 86:8	<b>flow</b> 80:4 81:21 101:13	<b>fraught</b> 58:13
<b>external</b> 103:2	<b>fault</b> 50:21	<b>fluoroscopic</b> 102:8	<b>Fredericksburg</b> 1:3,9 5:12 6:14
<b>extremely</b> 75:10	<b>February</b> 14:22	<b>follow</b> 39:5,8 63:2 71:6	<b>frequent</b> 97:13
<b>eyeballs</b> 30:1	<b>feel</b> 96:3	<b>followed</b> 38:21 42:14	<b>full</b> 58:14
<b>eyes</b> 41:22 67:13	<b>feels</b> 45:11	<b>following</b> 39:11	<b>fully</b> 58:3,5,19,20,20 59:3,6 59:9,14
<hr/> <b>F</b> <hr/>	<b>fell</b> 91:7,12	<b>follows</b> 6:6 38:18	<b>function</b> 76:19 77:6,12,22 78:19 79:2 82:19 87:6
<b>F</b>	<b>felt</b> 42:8	<b>fool</b> 76:13	<b>functions</b> 83:10
<b>face</b> 13:11 81:9	<b>festering</b> 88:21	<b>foregoing</b> 104:4 105:13,15	<b>fundamentals</b> 34:7
	<b>few</b> 20:3 39:12	<b>foreign</b> 99:2	<b>further</b> 92:15 97:6,17
	<b>figure</b> 82:8 94:18	<b>form</b> 7:17 9:5 19:16 20:17 40:18 44:20 50:22 62:10 70:16 71:20 80:11 83:21 85:7,14 85:21 86:11 89:14 99:9	<b>fuse</b> 90:22
	<b>files</b> 6:18,21		<b>fused</b> 33:22
	<b>fill</b> 80:15,17		<b>future</b> 97:9
	<b>filling</b> 77:14 80:6 82:1 86:3,4 86:7		<hr/> <b>G</b> <hr/>

<p><b>G</b>                      5:1 22:6  <b>gallbladder</b>                      6:13,19 7:10,12 8:19                      10:4,9,18 11:21 12:16                      16:19,20 17:16 19:2                      19:15 22:16 23:3,18                      24:13,20 25:8 26:12                      26:14,17,18,21 27:9                      27:16 28:12,16,19                      29:3,10,18,19,21 30:1                      30:4,13,17,21 31:15                      31:20,21 32:2 33:5,9                      33:16 34:7,22 35:9,11                      35:15,20 36:3,9,12,22                      37:3,7,8 38:2,9,16                      41:22 43:4 49:8                      53:18 55:10,20 56:9                      56:14 57:19 58:9,9,11                      61:3 62:14,17 67:22                      68:2,11 69:6,8,13                      71:2,11 73:7 77:4,6                      78:5,9 83:13 85:4,18                      88:20 90:13 91:16  <b>gather</b>                      57:5  <b>gave</b>                      24:1,2,3 34:13 53:2  <b>gears</b>                      26:11  <b>gee</b>                      48:1 85:5  <b>general</b>                      21:5,15 44:21 54:3                      55:9 62:3 74:1 76:4                      83:1 99:3  <b>generally</b>                      41:7,12 44:9  <b>Gerber</b>                      88:2 89:21 90:12  <b>getting</b>                      50:20 56:9 99:16                      102:12  <b>give</b>                      16:3,13 34:5 58:15                      65:12 83:4,6 95:12,12                      96:14</p>	<p><b>given</b>                      7:8,11 17:13 31:3                      39:16 62:1 63:1                      71:10 104:5 105:14  <b>Giving</b>                      9:22  <b>Glass</b>                      2:2,3 3:5 5:3,18,18 6:9                      6:11 7:21 9:18 16:9                      16:13,16 20:19 24:8                      26:7 32:19,21 40:20                      41:1 51:1,4 54:7,12                      54:15 55:1,4,6 65:5                      65:16,17 73:10 84:1,4                      89:15 96:13,19 103:3                      103:7  <b>Glen</b>                      2:12  <b>Gloria</b>                      25:16 35:7 60:8 65:19  <b>go</b>                      10:6,19 16:1 25:20                      31:10,11 32:5 33:18                      36:22 41:3 47:15                      50:5 51:6 54:10,11                      62:16 72:9 96:13                      97:3 100:13,20 101:4                      102:11  <b>goes</b>                      28:13 30:22 35:11,16                      36:3,12 37:2,8 44:7                      54:16 100:19 101:5,7                      101:8 102:16  <b>going</b>                      13:8,13 16:13 18:16                      31:4 35:9,20 36:9                      37:7 42:5 54:10,21                      59:2 62:9 65:7,10                      70:1 72:1,6 81:10                      85:6 86:14 87:14                      92:19 93:8,11 94:5,13                      94:15,15 96:2,2 97:11                      98:15 100:10,11  <b>gone</b>                      6:20 7:5 92:5  <b>good</b>                      6:10 13:3 17:22 61:4</p>	<p>70:4 89:16 94:10                      103:4  <b>Goodman</b>                      2:10  <b>Gotcha</b>                      31:22 75:8 102:22  <b>great</b>                      83:13 95:2  <b>green</b>                      50:18 51:2,7,8  <b>Greene</b>                      14:1 33:2  <b>Griffin</b>                      1:20 5:16 105:6 106:7  <b>group</b>                      5:21 97:20  <b>guess</b>                      9:6 31:7 35:14 38:5                      39:9 44:5 60:17 69:4                      74:22 80:2 81:13                      83:8 86:2,12 93:9                      94:1  <b>guessing</b>                      93:17  <b>guidance</b>                      17:22 102:8  <b>Guideline</b>                      3:18  <b>guidelines</b>                      22:7,13,14,15,20 23:2                      23:6,7,9,10,12,14                      24:11,17,19,21 25:4                      26:2,10,10 35:4 38:19                      38:21 43:11 61:2                      63:6  <b>guides</b>                      54:3 55:10  <b>guys</b>                      85:11</p> <hr/> <p style="text-align: center;"><b>H</b></p> <hr/> <p><b>H</b>                      53:3  <b>half</b>                      62:12  <b>hand</b>                      32:12,12 35:6 39:20,21                      105:22</p>	<p><b>handed</b>                      48:11  <b>hands</b>                      95:2  <b>hanging</b>                      103:1  <b>happen</b>                      74:7 81:8,13 90:20                      91:14  <b>happened</b>                      39:22 49:2 64:7 92:1                      94:18 97:1  <b>happens</b>                      47:1 50:8,8 89:3 91:18                      94:14,22  <b>happy</b>                      65:12  <b>hard</b>                      54:12,14  <b>Harvey</b>                      3:17,21 4:15,18 35:7                      60:8 65:19  <b>heard</b>                      57:6 85:12,15 88:19  <b>help</b>                      25:5 43:17 74:8 76:16  <b>helpful</b>                      75:10  <b>Henderson</b>                      1:17  <b>hepatic</b>                      10:14 12:5 25:1 28:4,4                      28:5 30:11 33:21                      43:19 44:16 47:13,16                      48:3 55:14,21,22 56:6                      56:19,20 59:17,20                      66:1 67:17 69:7,11                      70:6,15 71:4,19 72:12                      73:20 80:1,1,22 81:10                      84:19,19,22 86:4,8                      87:18,19 88:22 89:1,2                      90:1 91:12 92:3,4,6  <b>hepatobiliary</b>                      95:2  <b>here</b>                      5:8 6:17 8:4 9:10,10                      14:17 15:7 19:12</p>
---	---	--	---

<p>20:2 22:13 25:10                  27:14 28:9,10 30:7                  31:4,10,16,19 35:6                  36:8 39:1,3,12,18,21                  40:12 41:16 48:13                  53:10 55:4 58:1 67:2                  83:17 84:20 85:1                  96:22  <b>hereby</b>                  104:2  <b>hereinafter</b>                  6:5  <b>hereto</b>                  105:18  <b>here's</b>                  14:2,6 24:1 32:16,19                  39:21,22 48:11,12                  75:6 83:18  <b>high</b>                  69:11  <b>highlighted</b>                  18:5 19:7  <b>highway</b>                  2:4 34:14,15 78:13,16                  93:4,4  <b>Highwoods</b>                  2:11  <b>hilar</b>                  69:11  <b>Hill</b>                  8:20  <b>Hmm</b>                  36:6  <b>holds</b>                  91:6  <b>hole</b>                  101:15  <b>holes</b>                  101:12  <b>Hommel</b>                  1:4 5:10,19  <b>honor</b>                  65:11  <b>hope</b>                  71:6 99:13  <b>hopefully</b>                  43:21 82:4</p>	<p><b>Hospital</b>                  8:19  <b>Huffman</b>                  53:3 83:6  <b>Huffman)83</b>                  4:20  <b>hunches</b>                  97:15  <b>hundreds</b>                  47:14  <b>hurt</b>                  43:12</p> <hr/> <p style="text-align: center;"><b>I</b></p> <hr/> <p><b>idea</b>                  19:22  <b>identical</b>                  52:11,12,19  <b>identification</b>                  68:18  <b>identified</b>                  6:12 18:20 25:6,7 42:4                  45:12,17 66:3,14,19                  68:3,10 70:14 90:10  <b>identifies</b>                  48:18  <b>identify</b>                  10:14,21 11:3 43:18                  58:4 59:16,20 60:11                  60:17,19 63:21 64:10                  66:4 71:19 74:9 82:4                  99:3  <b>identifying</b>                  10:22 11:8 15:17 18:10                  19:10 35:4 57:16                  59:4,7 61:8 65:2 71:3                  77:14 84:6  <b>ignorance</b>                  100:11  <b>III</b>                  2:2,3  <b>imaging</b>                  8:21  <b>immediate</b>                  44:8  <b>immediately</b>                  91:5  <b>important</b></p>	<p>37:5 81:3 88:15  <b>impossible</b>                  60:19  <b>impression</b>                  34:5  <b>improper</b>                  68:18  <b>inartfully</b>                  21:15 27:5  <b>incident</b>                  73:8  <b>include</b>                  14:16  <b>included</b>                  8:18,21  <b>including</b>                  43:6 68:11 80:6 95:1  <b>incomplete</b>                  80:14 84:6  <b>incorrect</b>                  35:12 36:13 37:2,10  <b>incumbent</b>                  34:18 80:20 82:20                  83:12  <b>indefinitely</b>                  98:20  <b>Indiana</b>                  20:8  <b>indicates</b>                  33:7 99:12 101:21  <b>indication</b>                  97:12  <b>indwelling</b>                  102:17  <b>infection</b>                  50:3  <b>inflammation</b>                  67:22 68:15,21 69:13                  69:17 89:8,10,11,19                  94:9,11  <b>initially</b>                  88:5  <b>injure</b>                  68:4 81:10 85:6  <b>injured</b>                  41:11 44:5 90:13 94:6  <b>injuries</b></p>	<p>44:10 53:12,20 54:2                  55:9 67:20 68:19                  69:4,5,12,19  <b>injury</b>                  38:21 44:15,19 45:1,2                  45:5,10 47:8 50:4                  69:9 71:2,7 87:12                  88:12 90:10 92:14,17                  92:21 93:3 94:4,4,21                  94:22  <b>inserts</b>                  27:10  <b>inside</b>                  102:12,13  <b>instances</b>                  27:14  <b>instrumental</b>                  17:6  <b>intended</b>                  30:5  <b>intentional</b>                  45:10  <b>intentionally</b>                  67:17  <b>interested</b>                  105:20  <b>interesting</b>                  41:3 75:4 100:9  <b>intervene</b>                  94:15  <b>intervention</b>                  97:7,17  <b>interventional</b>                  99:18,19 100:3  <b>intestines</b>                  101:10  <b>intraluminal</b>                  100:12,13  <b>intraoperative</b>                  76:3  <b>intraoperatively</b>                  11:11  <b>introduce</b>                  5:17  <b>investigate</b>                  79:2 95:14  <b>involved</b></p>
--	--	---	--

9:20 42:6 96:8 100:5 <b>involving</b> 6:13 <b>issue</b> 22:19 39:22 41:9,18 51:3 91:16 98:4 <b>issues</b> 9:6 14:10,17 <b>itself</b> 12:6,10 38:17 45:20 64:4 65:21 66:4,18 67:19 72:2,5	9:2,13 14:9 55:14 71:18 87:12 90:3,16 <b>know</b> 7:4,5,11 10:16 11:15 13:11 14:19 15:12 18:2,2,5 19:11 20:2 21:6,14 23:12 28:13 29:1 30:7 39:3,6,19 40:4,15 42:10 43:2 45:3,22 47:2 48:13,22 49:3,21 50:12,18 53:7 59:4,5,9,12 61:14 62:4,13,19 64:4 66:21 69:5,10 70:17 74:7,17 75:19 77:13 79:5 81:21 82:5,12 85:8 86:15 87:14 88:1,4,11 88:11,12,13,14,15 89:18,22 91:3,4,15,19 91:22 93:13 94:20 95:1,4 96:20 97:21 98:2 99:9,11 100:6 <b>known</b> 50:7,7,16,19 77:12 <b>Kristen</b> 2:18 5:15	45:3 65:8 87:15,17 90:11 91:6,20 92:15 97:3 98:15 <b>latest</b> 53:7 <b>Law</b> 2:2,9 <b>lawyer</b> 14:7 29:15 48:1 91:10 <b>lawyers</b> 14:9 <b>layman's</b> 56:15 <b>lead</b> 38:18 64:5 <b>leak</b> 91:15 <b>leaking</b> 91:4,5,6 <b>learn</b> 79:10 <b>least</b> 11:20 95:12 96:3 <b>leave</b> 98:19 99:8,12 <b>Lee</b> 2:4 <b>left</b> 79:22 84:22 87:19 89:2 90:1 92:6 <b>legal</b> 14:17 <b>length</b> 28:6 <b>Letter</b> 4:20 <b>let's</b> 12:11,11 35:8 55:1 65:7,21 72:9 81:7 83:16 94:14 95:2 <b>level</b> 57:2 69:16 88:1 89:22 90:10,12 <b>levels</b> 88:12 <b>light</b> 50:18 51:2,7,8 80:8	<b>lighting</b> 82:7 <b>lights</b> 12:14 79:18 <b>limiting</b> 99:7 <b>Linda</b> 14:1 22:5 23:21 24:10 33:2 41:2 51:12 61:12 <b>line</b> 27:19 33:3 52:18,18 58:8,13 67:6 88:5 <b>list</b> 50:2,5 <b>listed</b> 17:14 <b>lit</b> 60:6 <b>literature</b> 17:7 <b>liver</b> 19:8 56:22 62:14 76:19 77:6,11,22 78:4,8,12 78:16,19 79:2 82:19 83:10 87:6,20 88:1 101:11 <b>location</b> 30:15 <b>logical</b> 21:9,11,20 <b>long</b> 40:20 84:18 99:8 101:20,21 103:1 <b>long-winded</b> 21:2 <b>look</b> 12:9 17:18 23:19 46:13 46:14,16 47:20 52:10 52:12,17,19 63:8,13 63:14 65:21 67:3 78:7,11 79:9 82:20 83:11 87:7 96:10,14 <b>looked</b> 44:13 63:12 88:13 <b>looking</b> 12:12 47:11,14 49:3
<b>J</b>			
<b>J</b> 1:12 3:4 6:3 104:2 <b>James</b> 25:17 <b>January</b> 13:22 41:4 73:6 <b>Jennifer</b> 17:13 27:3 48:16 <b>John</b> 15:1 54:20 <b>Jordan-Pereira</b> 4:9,17,19 52:6 64:22 70:8 <b>judgment</b> 20:12 70:4 <b>junction</b> 67:4,6 <b>June</b> 106:12			
<b>K</b>	<b>L</b>		
<b>keep</b> 29:14 65:14 85:5 <b>Kimberly</b> 14:21 20:7 <b>kind</b> 20:12 44:21 85:3 102:17,18 <b>kinds</b> 82:2 102:16 <b>King</b> 1:7 5:11,21 6:14 7:15 40:13 92:4 <b>King's</b>	<b>lab</b> 15:5 <b>label</b> 30:16 63:1 <b>Lahey</b> 16:20 17:1 <b>lanes</b> 34:14,15 <b>language</b> 65:20 79:19 <b>laparoscopic</b> 15:6,10 16:18,20 17:16 22:8,16 23:3 24:12 25:18 34:22 73:7 <b>last</b> 11:20 41:6 <b>late</b> 99:16 <b>later</b> 14:13 16:1 44:12,18		

<p>63:10,11 78:9  <b>looks</b>                  21:2 42:20 64:1  <b>lot</b>                  28:20,22 44:13 79:11                  82:11,12 95:9  <b>lots</b>                  9:22,22  <b>lower</b>                  87:17  <b>lumen</b>                  100:14 102:12  <b>Lumpkin</b>                  3:10,11,12 4:8,12                  14:22 16:5 17:10                  52:1 54:4  <b>Luschka</b>                  26:15 29:5  <b>Lynn</b>                  1:7 5:11</p> <hr/> <p style="text-align: center;"><b>M</b></p> <hr/> <p><b>M</b>                  1:20 53:3 105:6 106:7  <b>main</b>                  26:13,17 27:9  <b>Maingot's</b>                  18:8  <b>major</b>                  29:2 71:2 93:3 94:4,5  <b>majority</b>                  10:5 13:17  <b>making</b>                  49:9 51:14  <b>malpractice</b>                  6:18  <b>manage</b>                  95:10  <b>mark</b>                  4:20 13:9 84:9  <b>marker</b>                  84:9  <b>material</b>                  9:6 99:2  <b>matter</b>                  5:10 39:4 52:9 54:22                  55:18 63:4 79:10                  80:10 87:7 92:9</p>	<p><b>MD</b>                  1:12 3:4 5:10,11 6:3                  15:1 104:2  <b>mean</b>                  15:4 16:7 20:10 21:14                  31:5 34:16 40:7 44:1                  44:9,10 46:5 49:7                  50:8 59:2,9 62:2                  70:20 73:1 74:14,20                  74:22 78:6 81:15                  83:7 85:10 86:9 88:2                  91:14 93:9 95:4  <b>meaning</b>                  6:20 61:7 75:1 87:17  <b>means</b>                  55:22 56:15 57:11                  58:20 60:6 67:11,12                  81:20  <b>meant</b>                  21:20 27:8  <b>medical</b>                  6:18 8:3,7,14,18,22                  9:12 93:15,21  <b>medicine</b>                  21:7 49:12 95:13  <b>medicolegal</b>                  9:20 13:17  <b>merit</b>                  83:5  <b>methods</b>                  10:22  <b>might</b>                  7:2 47:2 69:6 97:18,19                  99:21  <b>mini</b>                  5:3,6  <b>minute</b>                  24:7 31:5 96:14  <b>minutes</b>                  39:12  <b>misidentification</b>                  68:13  <b>misleading</b>                  75:10  <b>missing</b>                  43:13 57:11 87:21  <b>mistake</b></p>	<p>25:20 36:14  <b>Mobley</b>                  15:1  <b>moment</b>                  17:17 27:15 96:15                  103:8  <b>months</b>                  20:15  <b>moves</b>                  89:6  <b>multiple</b>                  30:3 36:21 63:1  <b>M.D</b>                  1:7</p> <hr/> <p style="text-align: center;"><b>N</b></p> <hr/> <p><b>N</b>                  3:1 5:1 27:4 52:16 53:3                  57:22  <b>name</b>                  5:15,18,20 6:10 31:14                  31:19  <b>named</b>                  105:8  <b>narrow</b>                  99:3 100:16  <b>necessarily</b>                  32:7 67:12 73:1 99:22  <b>necessary</b>                  38:12 40:14 62:14 97:9  <b>necessitated</b>                  93:1  <b>necessitates</b>                  69:3  <b>necessity</b>                  18:11  <b>need</b>                  12:21 33:5 37:17,19                  57:16 97:12  <b>needle</b>                  100:6 101:3,6,19,20,20  <b>needs</b>                  12:18  <b>negligence</b>                  12:6 49:16 50:9,15                  67:20  <b>neighborhood</b>                  69:14</p>	<p><b>never</b>                  12:7 14:20 45:9,18                  48:18,20 49:2,4,10                  64:17 70:5  <b>new</b>                  99:6,9,11 101:14  <b>nonetheless</b>                  42:2  <b>non-complicated</b>                  17:8  <b>norm</b>                  74:16,18  <b>normal</b>                  12:13,15,17,18,20                  31:17 50:14 74:16,17                  74:21 75:2,5 79:18                  81:4,5,20,21 98:12,13  <b>North</b>                  8:11,19  <b>Notary</b>                  105:6 106:7  <b>note</b>                  69:16 71:17 72:4,7  <b>notes</b>                  96:14  <b>nothing</b>                  7:3 51:18  <b>November</b>                  1:14 5:14 9:11  <b>number</b>                  17:14 46:12 80:18  <b>numerous</b>                  29:22</p> <hr/> <p style="text-align: center;"><b>O</b></p> <hr/> <p><b>O</b>                  5:1 52:16 57:22  <b>oath</b>                  71:1  <b>object</b>                  7:17,18 19:16 20:16                  44:20 50:22 54:6                  62:9 67:15 70:16                  71:20 80:11 83:21                  85:7,13,13,21 86:11                  86:22 89:14  <b>objection</b>                  9:17 40:18 54:9,18</p>
--	--	--	---



64:3 65:4 69:18 71:12 72:15 73:3,9,22 75:12,18 82:22 84:3 86:6 87:9 <b>objections</b> 70:9 <b>objective</b> 25:14 37:20 <b>obviously</b> 19:21 72:19 <b>occasions</b> 29:22 <b>occur</b> 69:19 78:3,16 <b>occurred</b> 68:12 <b>occurs</b> 38:21 53:1 69:9 <b>offer</b> 23:10 65:15 <b>office</b> 8:5 100:8 102:1 106:1 <b>often</b> 10:10 47:1 69:5,19 <b>oftentimes</b> 46:19 49:21 <b>oh</b> 12:12 16:11,15 19:20 47:15 56:10 102:15 <b>Ohio</b> 1:18 14:1 17:4 83:8 105:2,7 106:1,8 <b>okay</b> 7:8 8:9 12:11 14:15 16:2,15 17:19 22:3,22 27:1 29:13 30:6 41:2 48:9 51:2,11 54:1 63:20 64:20 65:5,6 70:1 71:16 72:3,18 73:16 78:2 83:16,16 84:17 85:16 87:11 89:20 90:19 92:8 95:1 96:10,13 99:10 99:15,20 100:1,9 101:9,22 102:6 <b>old</b> 2:4 49:5	<b>once</b> 14:4 54:6 92:4 98:1,1,2 100:20 101:5 102:8 <b>one</b> 7:6 10:22 13:10,16 18:12,18 23:16 24:20 28:21 29:2,17 30:15 30:20 31:4 32:19 34:10,16,21 39:17 43:3 44:6,6 46:20 53:1 54:21 56:11 57:15 59:8 64:15 68:4 69:22 70:20,21 73:2,11 75:21,22 76:4 76:14 78:22 79:1,18 90:5,7 96:15 97:7 103:8 <b>ones</b> 25:7 <b>open</b> 6:17,19 7:2 91:3 99:3 <b>operate</b> 77:5 81:8 <b>operated</b> 88:3 90:6 <b>operation</b> 19:9 34:8 53:21 57:19 58:9 76:16 85:6 87:22 90:5,5,11,14 <b>operations</b> 10:18 18:9 53:2 55:17 87:18 91:19 <b>operative</b> 46:13,20 47:2 53:9 63:10 69:16 71:17 72:4 <b>opinion</b> 8:1 9:2,5 18:8 <b>opinions</b> 8:6 9:12 40:22 <b>opposed</b> 59:4,8,11 <b>opposite</b> 72:11 <b>order</b> 37:3 55:19 59:15 <b>original</b>	8:7 <b>Originally</b> 8:13,13 <b>other</b> 11:4 23:9 27:14 28:19 29:4 30:12 31:3 34:3 35:4 38:12 46:21 49:18 55:3 58:22 60:21,21 74:16,16 75:1 76:5,11,12,15,22 77:1,3,9,10,16 98:4 <b>others</b> 44:11 <b>outcome</b> 51:15 <b>outside</b> 20:13 21:10,19 74:18 100:12 103:1 <b>own</b> 41:21 62:1 <hr/> <b>P</b> <hr/> <b>P</b> 5:1 52:6 <b>page</b> 3:3 18:2,6,16 27:19 30:10 31:12 35:8 39:17 47:20 48:16 51:13 52:2,5,7,17 53:14 54:4 57:21 58:8 64:2 65:3,19,22 67:6 70:7 82:14 <b>pages</b> 20:3 23:21 38:7 39:14 64:5 <b>pain</b> 95:21 96:1 <b>paragraph</b> 21:2 52:17 <b>paralegal</b> 8:6 14:14 <b>paralegals</b> 14:16 <b>parallel</b> 33:21 34:14 <b>Parkway</b> 2:11 <b>part</b>	8:22 12:15 13:5 15:4 25:1 38:1 43:9,19 48:3 62:15 68:6 73:19,20 79:21 81:22 98:11 101:10,11 <b>participated</b> 15:5 <b>particular</b> 22:19 <b>parties</b> 105:18,20 <b>parts</b> 26:16 <b>pass</b> 101:4 <b>past</b> 11:17 12:3 21:18 79:20 <b>path</b> 78:3,12 <b>pathologic</b> 77:21 <b>pathologist</b> 47:12 48:4 <b>pathologists</b> 47:15 48:5 49:8 <b>pathology</b> 47:11 48:18,20 76:11 80:13 <b>patient</b> 10:10 45:4 49:15 68:14 73:17 85:6 92:9 94:12 96:20 99:21 <b>patients</b> 50:1 <b>PC</b> 2:3 <b>people</b> 28:22 62:19 <b>perceive</b> 82:5 <b>perceives</b> 33:15 <b>percent</b> 28:1,1 42:19,20 75:5 91:18 <b>perception</b> 32:6,7 34:1,1
---	---	--	--

<b>perform</b> 40:7	98:1	<b>presented</b> 43:3	<b>Professional</b> 106:9
<b>performance</b> 22:8 23:3 24:12 76:3	<b>plausible</b> 77:11	<b>presume</b> 30:2 35:10 36:1,11,15 37:12 39:11	<b>professor</b> 17:4
<b>performed</b> 38:12	<b>point</b> 11:16 14:20 18:10 29:17,17 45:7,16 50:5 51:13,14,20 52:3,7 55:5 60:9,22 83:9 93:5 98:15	<b>presumes</b> 18:12	<b>proffer</b> 23:20
<b>period</b> 74:20	<b>points</b> 69:10 70:3	<b>presuming</b> 61:9	<b>proffered</b> 40:16
<b>peritoneal</b> 93:7	<b>poking</b> 101:15	<b>presumption</b> 36:18 37:1,12,13 42:9	<b>program</b> 17:2
<b>peritoneum</b> 93:6 94:7	<b>portion</b> 10:3 12:4 55:20 69:1	<b>previous</b> 66:13	<b>properly</b> 45:12 68:3
<b>phone</b> 88:16	<b>position</b> 42:3	<b>pre-marked</b> 3:7 4:21	<b>prove</b> 12:18 81:3,4 86:2
<b>phrase</b> 74:11 85:12,15	<b>positively</b> 66:3,14,19	<b>Primarily</b> 86:7	<b>provides</b> 37:20
<b>phrasing</b> 11:2	<b>possibilities</b> 95:19	<b>principal</b> 70:20,21	<b>proving</b> 59:21
<b>physician</b> 12:16 21:8 22:1 24:22 29:22 31:9 32:1 33:15 34:19 40:6 70:5 71:1 74:7,9 75:16 79:1 80:20	<b>possibility</b> 34:2	<b>principle</b> 25:10 58:10	<b>prudent</b> 22:1
<b>physicians</b> 10:17,20 11:10 13:18 15:6,18 17:3 46:13 70:13	<b>possible</b> 58:5	<b>principles</b> 25:18 32:10 34:7 39:21 54:3 55:10	<b>Public</b> 105:6 106:7
<b>physician's</b> 63:11	<b>postop</b> 88:16	<b>print</b> 17:17	<b>publish</b> 22:14
<b>physics</b> 55:19	<b>postoperative</b> 92:12,18	<b>prior</b> 13:14	<b>published</b> 20:1 22:15
<b>pictures</b> 42:20	<b>potential</b> 77:1,10	<b>probability</b> 93:15,21	<b>publishing</b> 17:7
<b>piece</b> 57:10	<b>practice</b> 51:19,19	<b>probable</b> 97:20	<b>pull</b> 102:9
<b>pile</b> 15:22	<b>precisely</b> 28:17	<b>probably</b> 12:1 42:22 44:22 45:3 76:7 90:12	<b>purpose</b> 12:22 58:14 82:3 99:2
<b>place</b> 91:17 98:4 105:15	<b>premarked</b> 13:9	<b>problems</b> 98:21	<b>put</b> 39:19 99:22 101:6 102:8,18
<b>Plaintiff</b> 1:5 2:8	<b>premise</b> 18:13	<b>procedure</b> 11:7 97:8,10 99:15	<b>putting</b> 100:5,5,7
<b>plastic</b> 102:18	<b>preoperatively</b> 77:1	<b>procedures</b> 43:10,11,17	<b>p.m</b> 1:15 103:13
<b>plateau</b> 98:1	<b>prerequisites</b> 42:13	<b>proceed</b> 11:13 85:20	<hr/> <b>Q</b> <hr/>
<b>plateaus</b>	<b>presence</b> 69:20 105:11	<b>proceeded</b> 45:13	<b>qualified</b> 105:8
	<b>present</b> 2:17 28:1 29:11 77:21	<b>proctoring</b> 85:16	<b>question</b> 7:18 11:2,19 12:22 14:15 18:3,5,6 20:18 22:14 24:13 25:21 27:13 29:15 35:14

40:19,20 41:20 46:7 52:2 55:7 67:1 71:9 72:10 81:6 83:22 89:21 <b>questions</b> 15:20 22:12 103:5 <b>quotes</b> 62:22 64:16	<b>reasonably</b> 22:1 42:15 61:9 <b>reasons</b> 80:18 82:11,12 <b>recall</b> 41:4,7,9,13 47:22 61:20 62:6 <b>recently</b> 7:3 <b>Recess</b> 96:17 <b>recognize</b> 45:1,2 82:5 <b>recognized</b> 44:10 45:5 51:17 <b>recognizes</b> 44:7 <b>recollect</b> 61:11 <b>recommendations</b> 22:17 <b>reconstruction</b> 101:11 102:20 <b>reconstructive</b> 92:22 <b>record</b> 13:20 15:20 51:5 54:6 57:8 65:8 70:1 83:3 96:14,16,18 103:9 <b>records</b> 8:3,7,10,10,14,18,20 8:20,22 9:12 73:13 97:2 <b>refer</b> 18:8 57:20 69:5 <b>reference</b> 70:6 <b>referencing</b> 31:13 <b>referred</b> 68:22 <b>referring</b> 59:6 <b>regard</b> 11:15 <b>regarding</b> 8:7 14:10	<b>Registered</b> 106:9 <b>regular</b> 5:4 <b>reinforces</b> 38:5 <b>relationship</b> 37:4 <b>relative</b> 105:17 <b>relevance</b> 7:18 67:15 70:10 71:12 <b>relevant</b> 18:10 <b>reliable</b> 19:13,17 22:7,11,19 23:4 <b>remember</b> 7:1 9:7 11:14 41:12 42:6,6,7,11 62:3 66:6 72:13,16 73:12,13 <b>remnant</b> 91:12 92:3,6 <b>removal</b> 6:19 7:10,12 10:4,9 11:21 12:4,17 19:15 23:3 24:13 49:8 69:1 71:11 73:7 88:20 <b>remove</b> 25:8 55:20,21 98:10 99:13 101:22 102:2,3 102:5,9 <b>removed</b> 55:14 98:6,16 <b>removing</b> 68:2,10 <b>rename</b> 30:20 <b>repair</b> 15:13 94:5 97:2 <b>report</b> 46:13,20 47:2 48:18,21 63:10 <b>reporter</b> 5:16,22 16:1 106:10,11 <b>Reporting</b> 1:16	<b>reports</b> 47:11 <b>represent</b> 5:19,21 <b>representing</b> 29:15 48:1 <b>require</b> 64:10,13 <b>required</b> 75:15 76:18 92:16,17 97:7 <b>requirement</b> 38:1 75:20 76:22 77:7 <b>requirements</b> 11:7 <b>requires</b> 63:21 100:6 102:7 <b>requisite</b> 65:1 <b>resected</b> 87:21 <b>reserved</b> 76:4 <b>respond</b> 66:10 <b>response</b> 27:7 <b>rest</b> 27:10 <b>result</b> 50:14,15 51:18 67:20 69:12 <b>results</b> 78:20 79:2 82:19 87:6 <b>retrograde</b> 86:8 <b>retrospectively</b> 48:21 <b>reverse</b> 100:1,3 <b>reversed</b> 35:17 <b>review</b> 8:3 14:4 49:7 71:17 <b>reviewed</b> 8:8,9,12,14,16,17 9:6 9:12 45:9
--	--	---	--

**R**

**R**

5:1 27:4 52:6,6,16  
57:22  
**radiologist**  
101:15  
**radiologists**  
101:1  
**radiology**  
99:18,18,19 100:3  
**range**  
53:6  
**rarely**  
75:10  
**rate**  
97:17  
**rdonnelly@goodma...**  
2:14  
**read**  
22:20 23:8 27:18 31:5  
33:4 36:4,7 40:8  
41:13 57:18 104:3  
**reading**  
18:4 64:11 72:13  
**ready**  
80:21  
**really**  
13:3,5 25:9 37:16 58:7  
58:7 63:13 73:18  
81:14 92:9  
**Realtime**  
106:10  
**reason**  
33:8,14 43:9 81:3  
97:19  
**reasonable**  
21:7,10,11,21 22:18  
23:7,7,11,17 61:5  
93:14,15,18,20,20

<p><b>rid</b> 6:21</p> <p><b>right</b> 6:15 7:2,14 9:1,19 10:8 12:11 13:2 14:21 15:11 16:21 17:4,6,21 18:22 20:4 21:13 24:21 25:2,12,21 27:14,20 28:4 30:11 33:11 34:18,20 36:20 37:10,15 38:3 39:10 41:8 42:16,16,21,22 43:7,15,18,20 44:2,8 45:13 46:15 47:4,19 48:15 49:1,11,17,18 50:17,17,21 51:6,9,22 54:19 55:11,18 56:3,6 56:12,15 57:3,8,13,15 58:7 59:10,18 60:6,19 60:20 61:6,11 63:16 63:18 64:18 66:22 67:18 70:12,19 71:8 72:5 73:2,21 74:6 76:17,20 77:10 78:5 78:10,13,17,20 79:13 79:22,22 80:3,5,10,16 80:18,22 81:6,11,17 82:6,9,10,21 83:14 84:8,13,15,22 85:1,20 86:1,5,9,21 87:8,11 87:19 89:2,5,7,13,17 90:1,7,15 92:4,5,6,10 92:20 93:4,5,8,15 94:3,6,8,10,14 95:1,3 95:7,9,13,18,20,22 96:4,11 100:17 102:14 103:7</p> <p><b>righty</b> 12:2 13:8 25:15 26:1 26:11 35:6</p> <p><b>risk</b> 50:7,19 51:7,16 58:13</p> <p><b>Road</b> 1:17</p> <p><b>Roanoake</b> 57:7</p> <p><b>Robert</b></p>	<p>2:9 5:20</p> <p><b>robotic-assisted</b> 21:4</p> <p><b>room</b> 20:12</p> <p><b>RPR</b> 1:20</p> <p><b>run</b> 33:21 34:14</p> <p><b>running</b> 33:15 38:8 50:18,18</p> <hr/> <p style="text-align: center;"><b>S</b></p> <hr/> <p><b>S</b> 5:1 22:6,6</p> <p><b>safe</b> 17:8,16 18:1 19:14,14 22:7,17 23:3,11 24:12 24:20 25:1,3,18 34:22 40:14 43:9,11,17 46:14 55:10 57:15 58:11 83:13 102:4</p> <p><b>safeguards</b> 18:19</p> <p><b>safely</b> 25:7 40:7 59:16 61:7,7 71:6</p> <p><b>safety</b> 61:1 62:22</p> <p><b>SAGES</b> 3:18 22:6,6,13 23:2 24:11,16,19 25:4 26:2 26:9,9 35:3 43:11 61:2 63:6</p> <p><b>same</b> 5:5 9:17 14:3 21:8 27:20 30:9 31:12 33:18 60:22 64:3 65:4,10 69:18 70:9 71:12 72:15 73:3,9,22 74:2 75:12,18 79:12 79:15 82:14,22 84:3 86:6 87:9 92:14 93:2 94:5 100:2 104:4</p> <p><b>saw</b> 41:10,21 42:1 47:16 62:16</p> <p><b>say</b></p>	<p>10:16 14:3,5 19:17,20 20:5 22:20 23:5,6,13 23:17 27:6 30:5 31:12,18,18 32:6 36:17 37:11,12,18 38:11,15,20 39:14 42:10 48:2,3 49:21 50:6 53:19 59:6 62:2 62:15,20 63:13 64:18 66:1 67:2 70:5 73:14 76:8 77:4,8 85:22 89:17 94:13 96:11 97:15 99:10</p> <p><b>saying</b> 19:18 28:9,10 30:8 34:9,10 36:16 39:18 47:15 48:1 50:11,12 51:16 62:4 66:14 75:20 76:21 92:9 93:17</p> <p><b>says</b> 6:6 18:6 22:18 34:2 41:20 51:17 72:11 85:5</p> <p><b>scar</b> 69:22 98:2 99:6,8,9,11 99:13,14 101:14</p> <p><b>scarring</b> 94:7</p> <p><b>Schirmer</b> 1:12 3:4 5:9 6:3,10 7:20 48:2 49:22 70:22 82:15 103:6 104:2</p> <p><b>science</b> 11:20 79:10 80:10 89:5 89:16,17</p> <p><b>scientific</b> 91:8</p> <p><b>scope</b> 7:19 54:9 71:13,21</p> <p><b>screwing</b> 48:13</p> <p><b>seal</b> 106:1</p> <p><b>second</b> 21:1 97:21</p>	<p><b>sedated</b> 99:21</p> <p><b>see</b> 20:22 33:15 34:2 35:8 35:9 36:1,3,9,14 37:17,19 41:17 46:20 49:8 63:13,14 65:7 66:13 70:5 79:22 84:11,16 87:1 94:14</p> <p><b>seeing</b> 13:3,4 31:8 32:1 33:4 38:8 60:5 88:4</p> <p><b>seen</b> 48:20 49:10 67:6 68:22 69:4,11 84:14,20 85:1 89:19 92:10 96:20</p> <p><b>sees</b> 32:2 35:15,19 37:7</p> <p><b>segment</b> 87:21</p> <p><b>segments</b> 13:13</p> <p><b>sense</b> 45:6 47:10 64:15,19 81:6 90:3 91:8,9</p> <p><b>sentence</b> 36:4,7</p> <p><b>separate</b> 60:1,3,3 84:14 87:2</p> <p><b>separated</b> 62:13</p> <p><b>series</b> 19:9 46:2</p> <p><b>Services</b> 1:16</p> <p><b>set</b> 18:18 105:22</p> <p><b>sets</b> 89:8</p> <p><b>settles</b> 98:2</p> <p><b>several</b> 8:16 10:5,6 34:21 38:6 70:17,19,19 99:12</p> <p><b>severe</b> 68:21</p> <p><b>severity</b></p>
--	---	--	--

68:14 <b>sewn</b> 100:19 <b>share</b> 8:6 <b>Shayna</b> 1:20 5:16 105:6 106:7 <b>sheet</b> 13:11 104:7 <b>shoot</b> 87:1 <b>shooter</b> 86:17 <b>short</b> 43:6,14 73:17,17 74:2 75:20 76:21 92:5 <b>shortcut</b> 16:6 <b>shot</b> 87:6 <b>should</b> 11:11 18:20 28:17 31:18 33:14 60:20 74:7 97:7 <b>show</b> 11:12 26:21 27:14 31:4 64:6 100:11 <b>side</b> 85:17,17 101:12 <b>sign</b> 86:10 <b>Signature</b> 103:10 104:11 <b>signed</b> 104:7 <b>significant</b> 10:3 11:22 <b>similar</b> 21:8 48:9 52:13 97:8 97:10 <b>simple</b> 52:20 <b>simpler</b> 100:4 <b>simply</b> 13:16 26:1 88:6 102:9 <b>since</b>	8:17 54:11,13 <b>sir</b> 20:5 26:8 103:7 <b>sit</b> 6:17 53:10 102:19 <b>situations</b> 33:20 44:6 76:4 <b>six</b> 43:2 94:13 <b>size</b> 99:7,14 <b>skip</b> 65:7 <b>sleep</b> 99:22 <b>small</b> 17:17 29:5 101:20 <b>smaller</b> 29:4 <b>Smith</b> 4:20 <b>some</b> 4:21 8:20,21 11:7 15:20 32:10,10 35:3 37:1 39:21 42:18 44:10 55:5 62:19 64:8 66:3 88:7 93:5 94:4 95:12,13 97:14 98:15 101:18 <b>somebody</b> 43:13 49:21 <b>somehow</b> 45:6 94:16 <b>someone</b> 8:5 46:12 51:8 66:11 66:14 89:5 98:14 <b>someone's</b> 77:5 <b>someplace</b> 101:16 <b>something</b> 20:9,13 21:19 25:20 36:18 46:20 49:13 50:7,12 51:16 74:6,15 74:17 88:4 97:15 100:7 <b>sometime</b>	88:4 91:13 <b>sometimes</b> 7:4 30:8 74:11 91:3 <b>somewhat</b> 18:4 <b>sorry</b> 16:7,12,14 24:5 26:8 32:12,16 35:17 <b>sort</b> 69:15 89:22 99:7 101:18 <b>sounds</b> 11:2 <b>sources</b> 17:22 <b>speak</b> 38:17 49:19 53:17 68:8 <b>speaking</b> 21:5 30:9 44:10 65:21 81:15 <b>speaks</b> 12:5,10 66:4,18 67:19 72:1,4 <b>specific</b> 11:14 66:8 <b>specifically</b> 10:17 <b>specifics</b> 10:19 <b>specified</b> 105:15 <b>specify</b> 19:19 <b>spoke</b> 14:13,14 <b>spoken</b> 14:16 <b>spot</b> 99:3 100:16 <b>squirt</b> 101:2 <b>SS</b> 105:3 <b>stand</b> 11:16 <b>standard</b> 7:15,20 9:14 11:8	14:10,18 15:18 20:10 20:14 21:20 37:19 38:10,17 40:11,13,22 44:14 45:18 63:9,18 63:21 64:9,12 65:1 68:5 75:15 76:18 77:7 93:13 95:5 96:11 <b>standpoint</b> 91:9 <b>start</b> 12:12 91:4 <b>started</b> 16:21 17:2 91:4,5 <b>starts</b> 52:17 <b>State</b> 5:12 17:4 105:2,7 106:8 <b>statements</b> 64:8 <b>stating</b> 41:21 <b>statistic</b> 53:4 <b>statistically</b> 97:20 <b>statistics</b> 53:16 97:5,11 <b>status</b> 7:5 87:22 <b>stay</b> 98:3 <b>stays</b> 91:2 99:14 <b>stenotypy</b> 105:11 <b>stent</b> 98:10,14,15 99:2,7,7 100:11 101:7,10,14 <b>stented</b> 98:6 <b>stenting</b> 97:4,8,10,13 99:17 <b>stents</b> 97:4 98:7,19,19 <b>steps</b>
---	--	--	---

<p>12:21 38:12,18 39:5,8                  39:11 40:14 42:13                  63:1,2 65:1 71:6  <b>sticks</b>                  102:20  <b>still</b>                  17:22 18:15,22 19:13                  20:20 34:15 48:17                  49:6 51:20 52:22                  53:8,10,12 54:1 55:8                  57:18 60:15 63:20                  68:4 70:4 73:16 75:9                  75:14 76:2 79:8,10                  102:11  <b>stone</b>                  75:17,17 76:20  <b>stones</b>                  76:5,11 77:15,16,17,18                  78:2,15 79:9 80:7  <b>stop</b>                  76:21 86:10  <b>stopped</b>                  28:8 75:19  <b>straight</b>                  86:17  <b>stricture</b>                  80:7 97:3,22 100:16                  101:5,6,8  <b>strictures</b>                  76:11 77:20 78:2,15  <b>structure</b>                  32:2,3 41:22 60:1,4                  66:2,3,15,17  <b>structures</b>                  11:9 18:11 58:4,20                  59:5 60:10,16 61:4,8                  62:16 68:1,3 89:11                  99:1  <b>stuck</b>                  32:18 69:6  <b>studies</b>                  8:21  <b>subject</b>                  6:19 54:21 57:10  <b>subsequent</b>                  55:17 87:18  <b>success</b></p>	<p>97:17  <b>suffers</b>                  49:15  <b>sufficient</b>                  33:5  <b>sufficiently</b>                  58:4  <b>suggest</b>                  88:20 89:6  <b>Suite</b>                  1:17 2:4,11  <b>Sullivan</b>                  3:13,19 4:6 17:13 27:3                  48:16  <b>supply</b>                  98:4  <b>support</b>                  18:7  <b>supported</b>                  17:15  <b>suppose</b>                  51:10  <b>sure</b>                  7:7,21 8:9 10:7 11:5,16                  15:16 18:3 25:11                  39:15 43:12,16 46:6                  47:3 52:14 54:7                  56:17 58:2,18,19                  66:16 77:2 80:21                  84:4 88:10 89:9,20                  95:20 96:5 100:22  <b>surgeon</b>                  25:3,5 34:1 35:15,19                  37:7 38:18 44:6 45:1                  45:11 47:7 59:15                  63:21 67:10,16 68:13                  72:22 82:18,20 83:12                  85:17 95:3,8  <b>surgeons</b>                  25:11 35:12 36:14 43:1                  47:6  <b>surgeon's</b>                  32:7 38:7 45:16 46:16  <b>surgeries</b>                  13:5 73:2 75:11 80:16  <b>surgery</b>                  6:14,19 7:10,12,16</p>	<p>8:10,19 9:3,15 10:15                  11:13,21 12:17 15:2                  16:19,20 17:8,16 18:1                  18:17 19:2,8,15 21:5                  24:20,22 34:22 37:2                  38:2,16 40:7 43:2                  44:7,8,17 45:17 46:5                  49:12,13 50:1 53:13                  53:18 55:10,15 58:11                  59:15 68:6 70:15                  72:13 77:5 79:13                  82:6 83:14 85:5,18                  87:12 88:20 90:3,17                  91:13,16 92:16,22                  96:7,8  <b>surgical</b>                  1:8 5:21 19:1 33:2  <b>surprised</b>                  44:18 93:11  <b>surrounding</b>                  89:11  <b>suspect</b>                  76:19  <b>suspected</b>                  45:4  <b>suspects</b>                  75:16  <b>suspend</b>                  61:3  <b>suspicion</b>                  76:5,10  <b>swear</b>                  6:1  <b>switching</b>                  26:11  <b>sworn</b>                  6:5 13:15 31:3 105:9  <b>system</b>                  80:15</p> <hr/> <p style="text-align: center;"><b>T</b></p> <hr/> <p><b>T</b>                  52:16 57:22  <b>tailor</b>                  94:21 95:16  <b>take</b>                  5:6 7:14 17:17 23:19                  48:17 54:5 70:2 96:2</p>	<p>101:6 102:14,14  <b>taken</b>                  12:21 13:13 39:14                  87:21 96:17 105:10                  105:14  <b>taking</b>                  25:1 73:19,20 100:1,4                  100:7  <b>talk</b>                  13:14 15:11,21 19:18                  19:19 20:9 24:15,16                  49:11,12 74:22 83:16  <b>talked</b>                  20:2 38:6 58:16 60:21                  61:1 75:3 79:17                  81:20 86:17  <b>talking</b>                  9:10 15:7 19:12 21:4,7                  22:16 26:2 33:22                  37:11 39:1,3 41:19                  65:22 67:4 74:8  <b>talks</b>                  16:17 18:16 19:5,9  <b>tangential</b>                  69:5  <b>tape</b>                  5:7,8  <b>teaching</b>                  17:3  <b>team</b>                  14:17  <b>technical</b>                  38:16  <b>technique</b>                  19:3 25:4 62:2,15 63:1                  63:7 79:15  <b>techniques</b>                  19:3,14 34:21 35:4                  46:15 57:15 60:22                  74:8 88:8  <b>tell</b>                  33:14 49:14,22,22,22                  50:2,20 52:18 57:17                  59:13 64:6 85:19  <b>telling</b>                  9:1  <b>temporarily</b></p>
--	---	---	--

<p>90:22 <b>ten</b> 11:20 43:2 49:6 94:14 <b>tends</b> 98:1,3 <b>term</b> 74:4,12 <b>terms</b> 21:6 47:11 56:16 81:16 84:6 89:22 <b>test</b> 77:22 <b>testified</b> 7:9 10:9 12:2,12 13:12 13:22 15:1 20:8 21:18 22:6 26:5,19 27:13 29:22 32:1 33:3 37:16 44:14 46:12 61:12,13 63:17 64:21 70:22 73:5,12 <b>testifies</b> 6:6 <b>testify</b> 6:12 105:9 <b>testifying</b> 15:17 37:18 40:11 41:4 <b>testimony</b> 7:19 9:14,22 13:15,21 14:3 15:15 16:5 17:12 22:4 24:9 25:16 27:2,3 31:3 32:22 33:1 40:6,9 48:10 51:12 52:15 53:2 57:9 58:17 60:13 65:19 71:10 104:4,5 105:10,14 <b>tests</b> 76:19 77:6 <b>Thank</b> 26:7 32:20 65:15 103:6 103:7 <b>Thanks</b> 103:3 <b>theme</b> 71:10 <b>thickening</b> 69:21</p>	<p><b>thing</b> 5:5 9:9 19:7 30:10 31:12 74:2 75:3 81:8 83:11 87:8 94:17 100:2 <b>things</b> 11:4 26:15 39:6,6 43:6 46:2 54:17 76:12 77:9 81:12 82:2 84:9 98:4 <b>think</b> 8:4 12:10 14:12,19 20:13,22 21:15 22:9 22:10 23:10 27:5 29:6 30:8 40:5,9,12 40:15,15 42:3,18 44:21,22,22 47:8 49:18 50:10,11 52:15 53:5,16 54:15 55:7,19 57:4,7,11 59:13 62:17 62:18,21 63:3 64:12 66:10 67:16 69:12 70:2 73:16 74:15 75:2,20 78:22 79:17 82:14,15 83:5 84:17 86:18 87:11,14 90:4,5 90:8,9 91:11 95:9,20 96:9,21 98:7 <b>thinking</b> 20:12 64:16 <b>thinks</b> 34:19 <b>third</b> 97:21 <b>Thomas</b> 3:14 20:7 <b>thought</b> 31:22 <b>thoughts</b> 8:7 9:7 <b>thousands</b> 72:22 <b>three</b> 20:14 57:3 59:5,5,8 60:16 97:6,8 <b>time</b> 5:15 8:17 9:5,7 10:13</p>	<p>10:13 18:14 44:11 47:7 53:17 54:6 65:11 77:5 84:18 87:12 88:14 91:2 92:19 96:7 97:21 105:15 <b>times</b> 29:9 43:2 46:12 67:21 <b>tissue</b> 69:22 98:2 99:9 <b>tissues</b> 69:21 <b>today</b> 6:17 9:10 15:7,11 49:6 53:10 <b>Today's</b> 5:14 <b>together</b> 33:21 91:7 <b>told</b> 6:21 40:15 <b>ton</b> 16:18 <b>tool</b> 13:3 77:13 83:13 <b>tools</b> 79:1 <b>topics</b> 82:17 <b>total</b> 57:3 <b>totality</b> 9:8 <b>toward</b> 65:2 <b>tract</b> 18:17 19:8 78:4,5,12 <b>train</b> 15:2 <b>training</b> 15:6 17:2 <b>transcribed</b> 105:12 <b>transcript</b> 13:21 41:16 105:13 <b>transcription</b> 104:5</p>	<p><b>transcripts</b> 13:10 42:19 <b>transect</b> 67:17 68:4 80:22 <b>transected</b> 44:5 87:20 88:6,7,22 <b>transecting</b> 18:11,12 90:21 <b>transection</b> 12:3 53:1 65:21 <b>transections</b> 53:9 68:12,17,19 69:1 <b>treated</b> 97:3 <b>treatment</b> 19:1 94:21 95:16 <b>tree</b> 11:12 12:14 27:11 79:6 79:19 80:9 82:8 84:7 <b>trial</b> 6:20 7:9 10:1 13:15,21 16:5 22:4,5 26:4 40:9 41:3,8,16 51:11 61:13 63:17 71:1 <b>triangle</b> 10:21 11:3,9 19:4 57:16,20 58:10 59:3,5 59:11 60:10,14 63:12 63:22 64:10 65:10 <b>tried</b> 29:1,16,17 39:19 <b>trouble</b> 68:14 <b>true</b> 12:1 24:14 29:21 35:15 44:22 51:10 53:10 55:18 104:4 105:13 <b>truth</b> 105:9 <b>try</b> 72:1 94:17,21 95:7,14 95:16 96:3 100:13 101:4 <b>trying</b> 7:1 20:22 21:1 24:18 27:17 29:14 32:10 33:19 34:5 35:3</p>
--	--	---	--

33:19 34:5 35:3 36:17 38:15 39:13,18 59:7 66:10,20 74:22	<b>undergoing</b> 50:1	8:12 10:18	<b>volumes</b> 18:18
<b>tube</b> 103:1	<b>underlying</b> 97:18	<b>vary</b> 42:16	<b>vs</b> 1:6
<b>Tuesday</b> 1:14	<b>understand</b> 27:17 37:5 50:10 54:17 60:13 63:15 64:14 92:8 93:18	<b>Vernon</b> 22:4 45:7	<hr/> <b>W</b> <hr/>
<b>Tumor</b> 77:19	<b>understanding</b> 53:21 89:18 97:1	<b>versus</b> 5:10 17:14 22:4 24:10 25:17 27:3 33:1 45:7 52:16	<b>W</b> 2:2,3 27:4
<b>tumors</b> 76:11 77:18 78:2,15 80:7	<b>understood</b> 58:19	<b>vessel</b> 100:10	<b>wait</b> 94:14
<b>tussling</b> 40:4	<b>unfair</b> 61:17	<b>vessels</b> 88:9 91:1,1	<b>waived</b> 103:10
<b>twelve</b> 92:19	<b>unintended</b> 51:15	<b>videographer</b> 2:18 5:8,16,22 96:15 96:18 103:8	<b>walked</b> 23:22 24:11
<b>twice</b> 56:1 57:11	<b>unrecognized</b> 44:7,8,16	<b>videotaped</b> 1:12 5:9	<b>want</b> 11:1 13:14 15:19 25:10 25:11,19 36:15 38:19 38:20 41:17 42:10 55:4 57:17 58:18,19 60:20 61:16,16 62:11 65:12 77:8 82:2 92:2 97:15 98:19 99:8 100:19 102:11
<b>two</b> 7:6 9:19 10:8 13:21 15:15 24:1,2 32:15 34:14,15 40:6 41:21 44:6 56:18,18 59:4,8 61:3,4,8,8 69:3,10,10 76:4 97:5	<b>use</b> 22:12 62:19 74:4,11 76:16	<b>view</b> 7:15 20:20 24:15 61:1 62:18,20,21,22 63:4 63:14,15 67:10 93:19	<b>wasn't</b> 8:5 48:2 49:20 64:17 68:12 80:14 93:11
<b>two-thirds</b> 97:16	<b>useful</b> 76:13 77:13	<b>views</b> 17:15	<b>way</b> 11:1,22 15:22 16:6 17:8 18:1 23:16,17 24:20 25:1,3 34:4,4 34:17 46:20 53:20 54:9 61:5 75:21 81:7 85:8 89:2 91:2,11 101:7 102:4
<b>two-volume</b> 19:8	<hr/> <b>V</b> <hr/>	<b>violated</b> 7:15 9:14 40:13 63:17 96:11	<b>ways</b> 66:4 75:22 76:22 77:1 90:21
<b>typical</b> 27:21	<b>vacuum</b> 45:20	<b>violation</b> 21:12 38:17	<b>week</b> 57:7
<hr/> <b>U</b> <hr/>	<b>variabilities</b> 29:1	<b>Virginia</b> 2:5	<b>weeks</b> 99:12
<b>U</b> 53:3	<b>variability</b> 27:11,12	<b>Virginia</b> 1:1 2:12 5:12 6:11	<b>went</b> 17:3 27:6 29:6 41:7,8 44:16
<b>Uh-huh</b> 38:14 45:21 66:9 68:16 76:9 90:15 92:13 98:22 99:5 100:15	<b>variable</b> 28:14 30:14 31:1,17 34:12	<b>virtue</b> 67:21 68:19	<b>West</b> 1:17
<b>ultimate</b> 25:9	<b>variably</b> 26:16	<b>visual</b> 34:1 37:14 58:15	<b>we'll</b> 10:6,19 15:21 24:7
<b>unacceptable</b> 51:19	<b>variant</b> 42:20	<b>visualization</b> 81:21	
<b>UNC</b> 8:20	<b>variation</b> 30:10 43:18 74:20	<b>visualize</b> 67:12 72:12	
<b>under</b> 20:11 21:21 22:2 71:1 75:15 99:17 102:7	<b>variations</b> 27:7 28:2,3,6 31:16 43:3 75:7	<b>visualized</b> 18:20	
	<b>variety</b> 26:20 27:15 28:16,18 29:20 30:3 33:9,12 77:20	<b>visualizing</b> 59:21	
	<b>various</b>	<b>visually</b> 34:16 60:11,16 71:18	



<p>39:12 83:4  <b>we're</b>                  13:8 15:7 25:12 30:9                  54:21 82:14  <b>we've</b>                  13:9 38:5 70:2 74:8  <b>whatever</b>                  9:11 11:16 38:20 71:22                  72:1,3 94:22 95:15,17  <b>WHEREOF</b>                  105:22  <b>whole</b>                  19:20 20:5 34:6 36:4,7                  83:11 87:7 105:9  <b>William</b>                  1:12 3:4 5:9 6:3 104:2  <b>winging</b>                  51:1  <b>wire</b>                  100:19,20 101:4,4,5,7  <b>witness</b>                  3:3 6:1 40:17 51:2                  54:17 105:8,12,14,22  <b>witnesses</b>                  54:21  <b>word</b>                  37:11,12 86:2  <b>worded</b>                  21:15  <b>words</b>                  23:10 24:10 28:20                  30:12 38:13 49:18                  59:3 76:12 78:13                  86:9,12  <b>work</b>                  6:20 10:3 13:17 28:20                  98:21  <b>worked</b>                  54:12,13  <b>world</b>                  85:10,11 88:19  <b>worse</b>                  81:12  <b>worst</b>                  81:8  <b>wouldn't</b>                  10:16 19:17,20 23:5</p>	<p>45:3 66:1,17 67:7                  77:4,8 89:12 93:11  <b>written</b>                  22:10  <b>wrong</b>                  25:21 50:11 61:18 66:2                  66:17  <hr/> <p style="text-align: center;"><b>X</b></p> <hr/> <p><b>X</b>                  3:1  <b>x-ray</b>                  79:5,6  <hr/> <p style="text-align: center;"><b>Y</b></p> <hr/> <p><b>yeah</b>                  8:13 19:10 26:9 27:5                  32:18 36:10 39:9                  40:2 41:6,7 42:22                  44:1,9 45:6 46:9                  51:10 53:22 56:10,21                  61:15 64:17,19,20                  65:5,16 66:7 72:6                  74:5 75:4,6 79:7 81:2                  83:3 85:8 86:7,13                  90:4,8 92:2 93:3                  94:10,11,12,19 95:11                  98:11,13 102:5  <b>year</b>                  7:8,13 41:6 62:12  <b>years</b>                  11:20 13:22 49:6 59:14                  82:16  <b>young</b>                  85:16  <b>yourself</b>                  81:3,5 95:11  <hr/> <p style="text-align: center;"><b>1</b></p> <hr/> <p><b>1</b>                  3:8 5:8 13:20  <b>1,000</b>                  53:1,8  <b>10</b>                  3:17 24:3,4,6,7 25:15                  26:1 52:18  <b>11</b>                  3:18 26:3,6,7</p> </p></p></p>	<p><b>12</b>                  3:19 26:22 32:14                  106:12  <b>13</b>                  3:8,20 32:13,15,16,17                  32:22 67:7  <b>14</b>                  3:9,10,21 35:6  <b>15</b>                  3:22 33:3 38:3  <b>16</b>                  3:11 4:2  <b>1621</b>                  5:15  <b>17</b>                  3:12,13 4:3  <b>175</b>                  52:2  <b>1758</b>                  96:16  <b>176</b>                  52:2  <b>18</b>                  4:4 47:4 58:13  <b>1802</b>                  96:18  <b>1808</b>                  103:9  <b>186</b>                  54:4  <b>187</b>                  54:4  <b>19</b>                  4:5 47:20 48:11  <hr/> <p style="text-align: center;"><b>2</b></p> <hr/> <p><b>2</b>                  3:9 14:2,2  <b>2,000</b>                  53:2  <b>20</b>                  3:14 4:6,6 48:11,11,12                  48:15 82:16  <b>2003</b>                  14:22 17:13,20 19:22  <b>2008</b>                  38:4  <b>2014</b></p> </p>	<p>13:22 41:5 73:6  <b>2015</b>                  1:14 5:14 7:8 106:2  <b>2018</b>                  106:12  <b>21</b>                  4:7 51:5,11  <b>210</b>                  2:11  <b>22</b>                  3:15 4:8 52:1  <b>22B</b>                  2:4  <b>22030</b>                  2:5  <b>23</b>                  3:16 4:9 52:5  <b>23rd</b>                  9:11  <b>23060</b>                  2:12  <b>24</b>                  1:14 4:10,10 48:9                  52:14  <b>24th</b>                  5:14  <b>25</b>                  3:17 53:4  <b>26</b>                  3:18,19 4:11 53:14  <b>27</b>                  4:12 54:4  <b>28</b>                  4:13 13:22 53:14 57:9  <b>29</b>                  4:14 27:19 57:21  <hr/> <p style="text-align: center;"><b>3</b></p> <hr/> <p><b>3</b>                  3:10 14:21 16:8,9  <b>3-28</b>                  4:20  <b>30</b>                  4:15 31:12 60:8  <b>31</b>                  4:16 63:22 64:1,2  <b>32</b>                  3:20 4:17</p> </p>
--	---	--	--

<b>3242</b>	3:12 17:9 52:18	2:13
1:17	<b>51</b>	<b>84</b>
<b>33</b>	4:7	35:8
65:9	<b>52</b>	
<b>34</b>	4:8,9	<hr/> <b>9</b> <hr/>
65:9	<b>53</b>	<b>9</b>
<b>35</b>	4:11 65:3	3:16 23:19,20 24:3,9
48:16 52:5,7 65:9	<b>54</b>	58:8
<b>36</b>	4:12	<b>9th</b>
4:18 48:16 65:18	<b>57</b>	18:9
<b>37</b>	4:13,14	
47:21 70:2	<hr/> <b>6</b> <hr/>	
<b>38</b>	<b>6</b>	
70:2	3:4,13 17:12 27:19	
<b>39</b>	<b>6:08</b>	
51:13 70:2	103:13	
<b>3915</b>	<b>60</b>	
2:4	4:15 28:1 42:19 75:4	
<hr/> <b>4</b> <hr/>	<b>63</b>	
<b>4</b>	4:16	
3:11 16:4,4,9,11	<b>65</b>	
<b>4,000</b>	4:18	
73:6	<hr/> <b>7</b> <hr/>	
<b>4:21</b>	<b>7</b>	
1:15	3:14 20:6	
<b>40</b>	<b>70</b>	
4:19 28:1 42:20 51:13	4:19	
57:21 58:8 70:7	<b>703.591.9829</b>	
<b>40/60</b>	2:6	
75:2	<b>71</b>	
<b>41</b>	60:9	
52:17	<b>72</b>	
<b>42</b>	60:9	
18:2	<b>73</b>	
<b>43</b>	4:20 67:3 83:4	
18:6	<b>74</b>	
<b>43220</b>	65:19,22 67:6	
1:18	<b>77</b>	
<b>44</b>	70:7	
18:16	<hr/> <b>8</b> <hr/>	
<b>4501</b>	<b>8</b>	
2:11	3:15 22:3	
<b>47</b>	<b>8th</b>	
4:4,5	106:2	
<hr/> <b>5</b> <hr/>	<b>804.346.0600</b>	
<b>5</b>		